

1           A.    He's sitting on the table with the three  
2 individuals and he has the pin-striped gray suit with  
3 the white shirt.

4                    MS. EPLEY:   May the record reflect he has  
5 identified the defendant?

6                    THE COURT:   It will.

7                    MS. EPLEY:   No further questions.

8                    THE COURT:   Mr. Martin.

9                    MR. MARTIN:   We have no questions.

10                   THE COURT:   Okay.  Thank you, Mr. Bailey.

11                   THE WITNESS:  You're welcome, Your Honor.

12                   THE COURT:   Call your next witness.

13                   MS. EPLEY:   Jennifer Clay.

14                   THE COURT:   Jennifer Clay.

15                                (Witness sworn.)

16                   THE COURT:   Ms. Epley.

17                   MS. EPLEY:   Thank you, Your Honor.

18                                        **JENNIFER CLAY,**

19           having been first duly sworn, testified as follows:

20                                        **DIRECT EXAMINATION**

21           **BY MS. EPLEY:**

22                   Q.    Hi.

23                   A.    Hi.

24                   Q.    Please introduce yourself to the jury.

25                   A.    My name is Jennifer Clay.  I'm employed with

1 the Houston Police Department crime laboratory,  
2 specifically in the DNA/serology section of the lab.

3 Q. How long have you been employed there?

4 A. A little over six years.

5 Q. What kind of training or education does one  
6 have in order to do your job?

7 A. I attended the University of Houston in Clear  
8 Lake where I received a bachelor's of science in  
9 biology. Prior to working at HPD, I was employed for a  
10 biotech company in The Woodlands, Sigma-Genosys. And we  
11 did -- I did DNA verification for them. After that, I  
12 get hired on at HPD.

13 Q. At what about your education?

14 A. I attended the University of Houston in Clear  
15 Lake.

16 Q. Have you testified in this county before?

17 A. Yes.

18 Q. On few or many occasions?

19 A. I'll say many at that point.

20 Q. Have you been qualified as an expert in DNA?

21 A. Yes, I have.

22 Q. Are you a member of any groups or societies  
23 specific to your education or your training and  
24 experience?

25 A. Yes. I'm a member AFDAA, which is the

1 American -- I think it's -- gosh, I can't remember the  
2 letters. I have it here. We meet once a year at least,  
3 but we are required by the standards, DNA standard to  
4 attend at least eight hours of training every year as  
5 part of our continuing education. AFDA is one of the  
6 agencies that actually I am a member with, but I have  
7 also attended training in different areas for, like,  
8 familiar statistics and familiar statistics as well as  
9 training in different types of extractions and things of  
10 that nature.

11 Yes, AFDA is the Association of Forensic  
12 DNA Analysts and Administrators.

13 Q. And you mentioned that you worked for HPD  
14 crime laboratory?

15 A. Yes, I do.

16 Q. Is that lab accredited?

17 A. Yes, we are.

18 Q. Who is it accredited by?

19 A. ASCLD/LAB.

20 Q. Have there been any problems or issues with  
21 that lab recently that we should address in court?

22 A. No. The ASCLD/LAB accredited the laboratory  
23 in May of 2005 for serology or screening and then the  
24 DNA portion was accredited in June of 2006.

25 Q. And are serology and screening both relevant

1 to DNA in cases like this?

2 A. Yes. Serology is basically the intake of the  
3 evidence items. Specifically in our laboratory, we test  
4 for blood and semen; but we also retain samples for  
5 possible contact DNA. That could be from just touching  
6 or coming in contact with an item from a crime scene or  
7 possibly saliva sample, things of that nature, or, like  
8 I said, blood and semen.

9 Q. And you guys were accredited in 2005 to do  
10 that?

11 A. For serology in 2005 and then for DNA in 2006.

12 Q. Can you explain to the jury in layman's  
13 terms -- you and I have spoken once before, right?

14 A. Yes.

15 Q. You were trying to educate me in regards to  
16 how DNA works. Can you please help me explain to the  
17 jury what you start with in order to do a DNA  
18 comparison?

19 A. Okay. Well, there is four main procedures for  
20 analyzing a sample for DNA. There is first the  
21 extraction where they are basically opening up the cell  
22 or slicing open the cell in order to get to the nucleus  
23 where the DNA is stored.

24 Then you're isolating the DNA, cleaning  
25 up the sample. And the next step would be quantifying

1 the DNA; so, just basically, we're just trying to  
2 determine how much DNA is present and how much did we  
3 obtain from that sample.

4           Once we know how much we obtained, then  
5 we amplify the sample. Basically, it's like making  
6 xerox copies of the DNA so that we can have enough DNA  
7 in order to have enough present in order for the  
8 instruments to read DNA profiles.

9           Once we do all that of that, then we  
10 analyze the DNA. At that point we hope to get a DNA  
11 profile where we'll do a comparison of unknown samples  
12 from a crime scene compared to a known reference sample  
13 taken from an individual related to the crime scene.

14           Q. Can DNA be used, not only to convict someone  
15 or make them present at a crime, but also to exclude  
16 them?

17           A. Yes. Like I said, it is a comparative  
18 analysis. We will take a reference sample and compare  
19 the reference samples from that case, whether it's a  
20 suspect, complainant or witness, and compare them to the  
21 evidence samples and either draw conclusions stating  
22 they cannot be excluded or they are excluded from the  
23 sample or no conclusions.

24           Q. Is this type of DNA analysis used for things  
25 other than crimes?

1           A.    DNA analysis can -- we specifically use it in  
2 criminal cases, but we do paternity testing in criminal  
3 paternity cases.  But private agencies can also do it if  
4 there is a question of paternity.  The medical field  
5 also uses different various types of DNA testing for  
6 their purposes as well.

7           Q.    It seems like an obvious question, but is DNA  
8 different among each person?

9           A.    Yes.  Basically from one individual to  
10 another, 99 percent of our DNA is the same.  It's  
11 basically what makes us human, not a dog or cat or a  
12 bird.  It is the one percent that we look at that is  
13 variable from one individual to another with the  
14 exception of identical twins, which would share the same  
15 DNA profiles.

16          Q.    Are you familiar with the facts pertaining to  
17 this case?

18          A.    Yes.

19          Q.    How are you are familiar with them?

20          A.    I issued the DNA report in reference to this  
21 case.

22          Q.    You explained that there were four steps:  
23 Extraction, quantification, amplification and then you  
24 run the samples on the instruments, correct?

25          A.    Yes, ma'am.

1 Q. Do you know what kind of items were found at  
2 the scene that you lab referenced?

3 A. Yes. Do you want me to list the items we  
4 received?

5 Q. Please.

6 A. Okay. Received Item 6.1, which is portion of  
7 the exterior driver door swabs.

8 24.1.1, which was a portion of swab from  
9 a magazine.

10 34.1.1 was a portion of swabs from a .32  
11 caliber pistol.

12 35.2.1 was a portion of the Smith &  
13 Wesson .38 Special.

14 Item 38.1 was a known buccal swab from  
15 Brshai Peters.

16 Item 39.1 was a known buccal swab from  
17 Jasmine Stelly.

18 Item 40.1 was a portion of the known  
19 buccal swab from Norris Briscoe.

20 And Item 41.1 was a portion of the buccal  
21 swab for Nicholas Aker.

22 Q. Once you have known buccal swabs that are  
23 attributed to a specific person and then you have  
24 unknown items located on pieces of evidence, what do you  
25 do to begin that process of extraction or to start the

1 comparison?

2 A. Once all the samples have been through the  
3 entire DNA process, meaning they have been extracted,  
4 quantified, amplified, and they have been run so we  
5 actually have a DNA profile, that's when I sit down. In  
6 this case I specifically did it, sat down and draw  
7 conclusions one at a time comparing each individual to  
8 each piece of evidence, then stating whether or not they  
9 are included, excluded or no conclusions can be made.

10 MS. EPLEY: Your Honor, may I approach  
11 the witness?

12 THE COURT: You may.

13 Q. (BY MS. EPLEY) Ms. Clay, I'm showing you  
14 what's been marked as State's Exhibit 78. Do you  
15 recognize this?

16 A. Yes.

17 Q. What do you recognize it to be?

18 A. This is a copy of my DNA report.

19 Q. Is it a true and correct copy of the report  
20 that you originally generated?

21 A. Yes. The only thing that is missing from my  
22 official is the signature.

23 Q. Is this the type of document that's kept in  
24 the regular course of your business?

25 A. Yes, it is.



1 Q. Is it routine for you to create such a  
2 document?

3 A. Yes, it is.

4 Q. Was it made at or near the time that you did  
5 the actual analysis?

6 A. Yes.

7 Q. And I know you said it was by you, but a  
8 person who has actual information that the document  
9 contains?

10 A. Yes. I crafted this record.

11 MS. EPLEY: Your Honor, at this time  
12 State offer State's Exhibit 78, tenders to opposing  
13 counsel for objection.

14 MR. MARTIN: We have no objection.

15 THE COURT: State's Exhibit 78 is  
16 admitted without objection.

17 Q. (BY MS. EPLEY) And you have a copy of your  
18 own?

19 A. Yes, I do.

20 Q. You said the only difference between State's  
21 Exhibit 78 and the copy you have is that yours is  
22 signed?

23 A. Right. This is the official copy that's kept  
24 in the case file.

25 MS. EPLEY: Permission to publish, Your

1 Honor?

2 THE COURT: You may.

3 Q. (BY MS. EPLEY) A moment ago we spoke about  
4 the various items that your lab looked at in regards to  
5 this case. Is that a complete list of the items that  
6 you listed a moment ago?

7 A. Yes. These are the items that are listed.

8 Q. And beneath it, we talked about the fact that  
9 you come to results of interpretations for each of the  
10 particular items, correct?

11 A. Correct.

12 Q. On the back page of the third form, we have a  
13 large chart. I'll zoom in to relevant areas, but can  
14 you explain to me overall what this chart represents?

15 A. Yes. This is the numerical values taken from  
16 the DNA profiles that we obtained and transcribed onto  
17 my -- what we call "the little table."

18 So, this demonstrates -- it has a list of  
19 all the items and then what the profiles were obtained  
20 from all those items, including both evidence and the  
21 reference samples in this case.

22 Half of your DNA is inherited by your  
23 mother and half is inherited by your father. So, take a  
24 look at the reference samples. They're listed on there.  
25 And then as you can see from I think the first four

1 samples are the evidence samples in this case.

2 Q. If you don't mind, I'm going to have you pause  
3 for just one second.

4 A. Okay.

5 Q. On the left-hand side, this column here, it  
6 says: Case items, correct?

7 A. Correct.

8 Q. If you come just down this left-hand side,  
9 again, it's the items we previously mentioned, the  
10 buccal swabs, anything that was found on the gun, for  
11 example, the .38?

12 A. Correct.

13 Q. Here across the top, we have numbers that  
14 don't seem on their own to make sense. What do those  
15 numbers, for example, D21S11, what does that represent?

16 A. Those are actual locations on DNA. Those are  
17 the locations that we're looking at.

18 Q. Are those locations that would be -- they are  
19 portions of DNA that everyone would have?

20 A. These are portions of DNA that are highly  
21 variable from one individual to another. They are  
22 selected by the FBI. They show high variability.  
23 That's why we look at these regions.

24 Q. When you say "high variability," it's that one  
25 percent that makes each of us different?

1           A.     Correct, with the exception of identical  
2     twins.

3           Q.     When you look at a particular location, what  
4     is it you are looking for?

5           A.     What we're looking for are the alleles calls  
6     for the numbers that are present.  So, if you look at  
7     Item 6.1 right under D8 -- that's just where we refer to  
8     it as D8 for short -- there is a 13,15.  Looking at this  
9     profile, I determined that that profile in Item 6.1 was  
10    a single-source profile.  So, that means to me that one  
11    individual contributed to the profile.  That particular  
12    individual either inherited a 13 or a 15 from either  
13    their mother or their father.

14                     If there is just one number, instead of  
15    that being 7, there is just an 8 present.  That means  
16    they inherited the 8 both from their mother and their  
17    father.

18           Q.     Okay.  I'm going to draw your attention  
19    specific to 41.1, the portion of the known buccal swab  
20    of Nicholas Aker.  That would bring you up to the .38  
21    Special Smith & Wesson.  Okay?

22           A.     Yes.

23           Q.     There are various numbers, at least two,  
24    across each of those locations that you spoke to?

25           A.     Yes.

1 Q. When you look at the numbers attributed to  
2 Nicholas Aker, when you look at the numbers found on the  
3 .38 Special Smith & Wesson, were the numbers attributed  
4 to Nicholas Aker also found in that location?

5 A. I could not exclude Nicholas Aker from the DNA  
6 profile obtained from 35.2.1.

7 Q. Would it be fair to say there was a mixture on  
8 that item?

9 A. Yes. Absolutely. As I said previously,  
10 usually one you get your DNA half from your mother, half  
11 from your father. In fact that at one location we have  
12 7 and 6 alleles or numbers being called. That's an  
13 indication that it is a mixture of at least three  
14 individuals.

15 Q. Is it fair, in summary, to say, although he  
16 couldn't be made the only person who touched the weapon  
17 because several people's DNA was found there, he could  
18 not be excluded from the weapon?

19 A. Correct. I could not exclude him entirely  
20 from being a possible contributor to the weapon.

21 Q. I'm now going to turn you back to State's  
22 Exhibit 78 and we're going to quickly go through each  
23 item.

24 A. Okay.

25 Q. So, in regards to your results and

1       interpretations, you would begin looking at your chart  
2       and then from that, you draw conclusions, correct?

3             A.     Correct.

4             Q.     So, let's begin looking at your Item 6.1, the  
5       exterior door swab.

6             A.     A full single-source male DNA profile, of  
7       unknown origin, was obtained from this item.

8             Q.     So, could people be included or excluded based  
9       on what you found?

10            A.     Mr. Aker, Mr. Briscoe, Mr. Peters and  
11       Ms. Stelly were excluded as possible contributors to  
12       this profile.

13            Q.     Again, this seems pretty obvious, but just  
14       because someone's DNA is not on an item doesn't mean  
15       they did not touch it, correct?

16            A.     There is no evidence at this time from the  
17       swabs that their DNA is present on the item.

18            Q.     I don't want to give misinformation. But, for  
19       example, if I touch this can, I set it down and I wipe  
20       it down, the absence of my DNA or my fingerprints  
21       doesn't mean I never had it, right?

22            A.     Right. Sometimes people will come in contact  
23       with items where you just don't leave enough DNA behind  
24       in order for me to develop a profile.

25            Q.     But in this case, whatever DNA you found on

1 that door does not come back to the suspects?

2 A. Correct. The four individuals I tested were  
3 excluded.

4 Q. It could have been the victim's or it could  
5 have been another person's?

6 A. It could have been anybody's.

7 Q. Right. So, look at Item 24.1.1, portion of  
8 swab from the magazine of the gun.

9 A. In that item, no DNA profiles. There weren't  
10 enough DNA present or left behind in order for me to get  
11 a profile.

12 Q. Look at Item 34.1.1, portion of the swab from  
13 .32 caliber pistol. What results did you find?

14 A. I obtained a mixture of three individuals, at  
15 least one of who is male. Mr. Peters and Ms. Stelly  
16 could not be excluded as possible contributors to the  
17 mixture.

18 Did you want me to read their statistics  
19 for this?

20 Q. Please.

21 A. Their statistics for them being possible  
22 contributors to the mixtures are one in 5600 for  
23 Caucasians, one in 5300 for African-Americans, one in  
24 110 for Southeast Hispanics, and one in 5100 for  
25 Southwest Hispanics.

1 Q. What is the purpose of the statistics you give  
2 when you include or exclude someone?

3 A. The statistics are basically calculated from  
4 the frequency that their alleles or their numbers appear  
5 in the population.

6 So, I can calculate statistics at  
7 different location on a DNA. Their alleles are being  
8 called or their numbers are present on that evidence  
9 item and also that there is no activity probably below  
10 threshold. So, there's no indication that there is  
11 anybody dropping out. That person could be a possible  
12 contributor to the mixture.

13 Q. Is it fair to say that the statistics give  
14 weight to whatever conclusion you're drawing?

15 A. Yes. So, while I can say that somebody cannot  
16 be excluded from a profile, the statistics give weight  
17 to the probability of seeing somebody else that maybe  
18 could not be excluded from that profile as well.

19 Q. So, how many random people would you expect to  
20 have to get DNA swabs from, information from, in order  
21 to come up with this mixture again?

22 A. So, you would expect to see the probability of  
23 seeing somebody who wouldn't be -- who could also not be  
24 excluded in the profile is just what I read from the  
25 statistics. So, for Caucasians, it would be 1 in 5600.



1 For African-Americans, it would be 1 in 5300. For  
2 Southeast Hispanics, it would be 1 in 110 and for  
3 Southwest Hispanics, it would be 1 in 5100.

4 Q. Before you could find someone you could  
5 exclude?

6 A. Before you would expect to see them as a  
7 possible contributor in that profile as well.

8 Q. When we come to Item 35.2.1, the portion of  
9 swab from the Smith & Wesson .38, where do we start at?

10 A. I also should point out that Norris Briscoe  
11 could not be excluded either. His statistics were  
12 calculated separately, however, from the previous item  
13 because his profile was coming up at different  
14 locations. So, his profile could not be excluded and  
15 Nicholas was excluded from that profile.

16 Q. This document will go back to the jury. So,  
17 when they look at it and when they read about it, they  
18 will see the statistics available?

19 A. Yes. They are available under each  
20 description of the item.

21 Q. Turning to the Smith & Wesson .38 --

22 A. Yes.

23 Q. -- that we spoke to a moment ago. This is the  
24 DNA that came from those three individuals, right?

25 A. Yes.

1           Q.    At least one of them was male?  Nicholas Aker  
2 cannot be excluded as a possible contributor, but you  
3 don't identify him as a contributor on here either,  
4 right?

5           A.    No, I don't make my source statement regarding  
6 Nicholas.

7           Q.    Can you help us understand the statistics or  
8 the weight that you have given these results?

9           A.    For that item specifically?

10          Q.    Yes.

11          A.    Well, I said he could not be excluded, but the  
12 statistics for his inclusion, the probability that an  
13 unrelated individual would be included as a possible  
14 contributor to this DNA mixture is 1 in 3 for  
15 Caucasians, 1 in 2 for African-Americans, 1 in 3 for  
16 Southeast Hispanics and 1 in 3 for Southwest Hispanics.

17          Q.    So that number is much smaller than it is for  
18 the prior item, correct?

19          A.    Yes.

20          Q.    What is the significance of that?

21          A.    For one reason that the -- and my little  
22 table, it's up there, but there is so many people  
23 contributing to this mixture, now I have to account that  
24 anybody that has any combination of those numbers that  
25 are being called could possibly be included.

1                   Well, so as we include more people  
2 possibly contributing, it opens up the door for more  
3 people possibly being included. And, also, I could not  
4 calculate statistics. It's our policy not to calculate  
5 statistics when we have activity above the threshold.  
6 This just means we have an interpretation threshold  
7 where we can call a number and label it on the little  
8 table. If it is below that, we can indicate it by  
9 putting an asterisk on our little table where we exclude  
10 that location from the statistics. So, while the  
11 individual's numbers may appear on the profile, I cannot  
12 calculate statistics at that location because I have to  
13 take into account there could be other people  
14 contributing as well.

15           Q.     One moment.

16           A.     Okay.

17                   *(Pausing.)*

18           Q.     Specific to the .38 where we learn Nicholas  
19 Aker's -- his alleles coming in also in the mixture --

20           A.     Yes.

21           Q.     -- those numbers were low, the 1 and 3 as  
22 opposed to DNA cases where you get one in a million?

23           A.     Correct. They are lower.

24           Q.     So, although he cannot be included and  
25 excluded, many people can come back on this profile?

1           A.    Yes.  One in two people could come back as a  
2 possible contributor.

3           Q.    Which just means it is a mixture of DNA that  
4 could be found on -- I don't want to say on any item.

5           A.    Mixtures always produce lower statistics  
6 because you have more than one person contributing.  You  
7 have a profile of just one person, of course, the  
8 statistics can be much higher.

9           Q.    So, the difference here is that many profiles  
10 or several profiles of DNA were found on the gun?

11          A.    There was a mixture of three individuals.  To  
12 top that off, I also had a lot of activity below  
13 threshold, causing me to exclude the -- calculate the  
14 statistics at those locations as well.

15          Q.    Can you also explain for us how DNA is left on  
16 various items?

17          A.    We receive all different kinds of items.  I  
18 mean, it can be left by somebody coming in contact, just  
19 touching items.  Wet, saliva, urine, blood, semen.  So,  
20 depending on the circumstances of the case, it could be  
21 deposited in a number of ways.

22          Q.    So is that contact DNA by coming in actual  
23 contact with something, I can leave my DNA, correct?

24          A.    It is a possibility you can.

25          Q.    What is transfer of DNA?

1           A.    The theory of transfer DNA is stating, like,  
2   if I go -- if I shook one of your hands and then went  
3   and opened the door handle that possibly your DNA is on  
4   the door handle because I came in contact with you and  
5   then came in contact with another item.

6           Q.    Is there anything about the way your lab  
7   functions or the ability of DNA to detect whether it's  
8   transfer or contact DNA?

9           A.    I can't make the determination whether it's  
10  transfer or contact DNA.  I just know that DNA is  
11  present.

12          Q.    So, earlier when you were speaking, for  
13  example, about the door, if I walked up and touched the  
14  door, I open it up, then you come up behind me and touch  
15  the same door, the next item you touch could have my DNA  
16  on it?

17          A.    It's a possibility.

18          Q.    Because it's possible to transfer my DNA to  
19  the door I touched and then you touched simply by having  
20  been present there?

21          A.    It is a possibility, if you assume you left  
22  enough behind, so on and so forth.

23          Q.    So, the same thing could have, in theory,  
24  happened to the gun?

25          A.    I mean, anything is possible.  Somebody could

1 have come in contact with someone else and then touched  
2 the weapon. They could have directly touched the  
3 weapon. I can't tell that from my results.

4 MS. EPLEY: No further questions.

5 THE COURT: Okay. Mr. Martin.

6 MR. MARTIN: Very briefly.

7 **CROSS-EXAMINATION**

8 **BY MR. MARTIN:**

9 Q. When you are looking at the .38 Special, when  
10 you talk about the probability that a randomly chosen,  
11 unrelated individual could be included as a possible  
12 contributor to this DNA mixture is approximately one in  
13 two for African-Americans, are you saying that  
14 50 percent of the African-American population could have  
15 left that DNA that you found?

16 A. I'm saying there is a lot of people that could  
17 have possibly left that DNA profile.

18 Q. Go back to the bottom of page one, the  
19 .32-caliber pistol. I think that's Item 34.1.1.

20 A. Yes, sir.

21 Q. Where you say Jasmine Stelly cannot be  
22 excluded as a possible contributor to this DNA mixture,  
23 are you saying that there's a possibility -- did you  
24 find her DNA on there?

25 A. I cannot exclude her as a possible

1 contributor. Yes, sir, that's correct.

2 Q. And when we go to percentages, that's the -- I  
3 don't know the percentage of chance that it was hers  
4 that was there?

5 A. I don't have the percentages. The  
6 statistics -- would you like me to read off the  
7 statistics?

8 Q. Just for African-Americans.

9 A. For African-Americans on Item 34.1.1,  
10 statistics for Jasmine Stelly were one in 5300 for  
11 African-Americans.

12 Q. Which means on average, if you had 10,600  
13 people and African-Americans touched that .32, two of  
14 them would leave some DNA?

15 A. Well, yes. If you tested 5300 people, you  
16 would expect one in that group of 5300 to possibly be a  
17 contributor to the profile.

18 MR. MARTIN: That's all I have. Pass the  
19 witness.

20 THE COURT: Thank you, Mr. Martin.

21 Further, Ms. Epley?

22 MS. EPLEY: No further questions.

23 THE COURT: Thank you, ma'am. You're  
24 excused.

25 It's time for a break. Myrna needs a

1 break. Why don't you do your bathroom breaks if that's  
2 what you need and ring us when you are finished and you  
3 are all ready to come back out. All right?

4 (Jury out.)

5 (Short recess.)

6 (Jury in.)

7 THE COURT: Call your next witness.

8 MS. EPLEY: Kimberly Zeller.

9 (Witness sworn.)

10 MS. EPLEY: May I proceed?

11 THE COURT: Yes, you may.

12 **KIMBERLY ZELLER,**

13 having been first duly sworn, testified as follows:

14 **DIRECT EXAMINATION**

15 **BY MS. EPLEY:**

16 Q. Please state your name for the jury.

17 A. Kimberly Zeller.

18 Q. Ms. Zeller, where do you work?

19 A. I'm employed by the City of Houston in the  
20 Houston Police Department Crime Laboratory.

21 Q. Are you from Houston originally?

22 A. No, ma'am.

23 Q. Where are you from?

24 A. Illinois.

25 Q. How long have you been in Texas?