

1 (Open court, defendant present.)

2 THE COURT: We're on the record in Cause No. 1275151
3 and 1275152, State of Texas versus Dean Jerome Wood. Is the
4 State ready to proceed?

5 MS. FULLER: Yes, your Honor.

6 THE COURT: Is the Defense ready to proceed?

7 MR. HOCHGLAUBE: Yes, your Honor.

8 THE COURT: You may proceed.

9 MS. FULLER: Thank you, Judge.

10 CLAY DAVIS,
11 having been duly sworn, testified as follows:

12 DIRECT EXAMINATION

13 BY MS. FULLER:

14 Q. Would you please state your name for the record.

15 A. My name is Clay Davis.

16 Q. And Mr. Davis, how are you employed?

17 A. I'm a criminalist with the Houston Police Department
18 crime lab.

19 Q. How long have you been employed there?

20 A. Since 2005.

21 Q. Prior to 2005 what did you do?

22 A. I was a research assistant at Baylor College of
23 Medicine on the Human Genome Project.

24 Q. And how long were you a research assistant there?

25 A. Five years.

1 Q. Okay. So as a research assistant what did you do in
2 that position?

3 A. We were sequencing the DNA of a human along with
4 other animals, including sea urchins, monkeys, rats and mice,
5 and several bacteria.

6 Q. Okay. And how long did you say you were in that
7 position?

8 A. Five years.

9 Q. Five years.

10 While you were in that position, did you have any --
11 did you publish anything?

12 A. Yes. My name was on around four papers, I believe.

13 Q. Okay. What were the papers regarding?

14 A. One was the sequence of the rhesus monkey and the
15 other two were certain chromosomes of the human that was also
16 sequenced by Baylor, so my name was on part of those.

17 Q. Okay. And did those experiences, did that position
18 there aid you in your current position?

19 A. Yes, it did.

20 Q. And how so?

21 A. Just getting the experience of a lab, and in DNA,
22 using small volumes, and just how to quality control
23 everything, just keeping everything on track.

24 Q. And so when were you the research assistant from?

25 A. 1999 to 2005.

1 Q. All right. So previous to that what did you do?

2 A. College.

3 Q. All right. Where did you go to college?

4 A. I was a biology major at Louisiana Tech University,
5 and I also have a master's from the University of Florida in
6 forensic serology and DNA.

7 Q. Do you belong to any professional organizations?

8 A. I do. AFDAA, which is the Association of Forensic
9 DNA Analysts and Administrators, and SWAFS, which is the
10 Southwestern Association of Forensic Science.

11 Q. And are you active in those organizations?

12 A. I am.

13 Q. Have you testified as an expert witness before?

14 A. Yes, I have.

15 Q. On few or many occasions?

16 A. Many.

17 Q. And does this include expert testimony in Harris
18 County, Texas?

19 A. Yes, it does.

20 Q. Can you tell the Court what exactly DNA and a DNA
21 analyst is?

22 A. DNA is the genetic material contained in all
23 nucleated cells. We get half from our mother and half from our
24 father, and what a DNA analyst does is take evidence samples
25 that contain either DNA from an individual, it can be blood,

1 semen, saliva, skin cells, and we take unknown profiles, DNA
2 profiles, and compare those to known profiles, which is usually
3 a blood sample or saliva sample from a known person and we do a
4 comparison between those two.

5 Q. Okay. So how long has DNA been -- how long has DNA
6 been an established science?

7 A. For forensics it was -- some of the earlier testing
8 was in the late 80s, and the current method that we are using,
9 one of the first kits was probably in the late 90s, and then
10 this kit was later on that we're using now.

11 Q. All right. So you talk about kits, can you tell us
12 what a DNA analyst, what the process is, what is the process?

13 A. So for DNA the process starts with extraction, so
14 that is removing the DNA from the cells, so whether that's
15 sperm cells, blood cells, or skin cells, we're trying to get
16 the DNA out of the cells, so that's the first step, and that's
17 done by adding a series of reagents to the tube with the
18 evidence sample inside, and, you know, letting that incubate,
19 and it breaks up into cells.

20 The next step is quantification, which is trying to
21 find out how much DNA is actually in that that we broke open
22 and released, because the next step requires a specific amount
23 so I want to know what I started with.

24 The next step is amplification, which is copying the
25 DNA, and we're copying just the 16 regions that I'm looking at,

1 not the entire DNA. So those areas are copied.

2 The next step is detection, so that copied DNA is
3 injected onto a machine that would develop a DNA profile, and
4 it will do this based on -- the DNA is separated, so those 16
5 regions are separated based on size and charge, and then we
6 will develop a DNA profile from that.

7 The last step is interpretation, so I will start
8 looking at the evidence sample and seeing what kind of a sample
9 is this, what kind of a DNA profile is this, is it a single
10 source? Is it a mixture of two people? Do I need to do more
11 work on the sample? And I base the more work on whether --
12 what the serology results were, the original screening of the
13 case. I also base it on what my quantification value was, and
14 just if I need to do more work on the sample. And then of
15 course there's interpretation at the end, which is interpreting
16 the DNA, which is doing the comparison between the known
17 samples and the evidence samples, and writing a report and
18 stating those results.

19 Q. Okay. So backing up to the beginning of the process,
20 you said that extraction occurs when you take a reagent and you
21 put it into the tube with the material that you're trying to
22 extract the DNA from; is that correct?

23 A. Yes, there are several reagents, yes, but yes,
24 reagents into the tube with the evidence sample, and also the
25 known, but obviously two different tubes.

1 Q. Okay. Then when you go into the quantitation, is
2 that -- am I saying that right?

3 A. Quantification.

4 Q. When you go into that step, do you use a machine, or
5 how is that done?

6 A. Yes. The quantification, the amplification, and the
7 detection all use machines, and they will display a result at
8 the end. So those are loaded onto a machine, yes.

9 Q. Okay. And when you talk about kits, are you talking
10 about those machines that you're using in this process?

11 A. Yes. The quantification has a kit that is specific
12 for DNA and so does the amplification, also has a kit, specific
13 for human DNA -- sorry -- that, you know, if there's cat DNA
14 it's not going to amplify cat DNA, but it will amplify only
15 human DNA.

16 Q. Okay. So I want to talk about machines that are used
17 in these processes. Let's talk about first quantification.
18 What -- what are -- what, hmm -- what's in place to make sure
19 that whatever reading you get from that machine is valid and
20 you can continue on with the next step in the process?

21 A. There are a set of standard s that are processed with
22 the machine, and so those standards have to be within a certain
23 range in order for the data to be used. If they're not, then
24 the data is -- I'm sorry -- the sample is requantified, and so
25 that data is scratched and then it's started over just because

1 of a pipetting.

2 Q. Okay. So you would go back and get another piece of
3 that same evidence and start the process over again?

4 A. Well, no, you actually just step back one step. So
5 you could go back to the original extract, to the original --
6 the extract tube of DNA and then just requantify and see how
7 much you're going to get from those.

8 Q. Okay. So assuming that the quantification, that the
9 results come within that standard curve range, you would then
10 next go into amplification?

11 A. Correct.

12 Q. And are there any standards that are set up in
13 amplification to ensure that you're getting a valid reading?

14 A. Yes. There is a positive and negative that is
15 processed with -- within the amplification process, and this is
16 just to make sure that the kit is performing what it's supposed
17 to do, it is amplifying correctly, and that the negative is
18 clean. There's also reagent blanks involved within the
19 extraction process that are processed with the evidence and
20 with the knowns separately, and they are processed throughout
21 the entire all the way to detection to make sure that the
22 original chemicals involved in the extraction process were also
23 free of DNA and there was no carryover from another sample.

24 Q. Okay. So you would check to make sure that you get
25 the right results in the amplification process before you would

1 then move into detection; is that correct?

2 A. Correct.

3 Q. Okay. So within detection is there any -- any checks
4 within detection to make sure that that phase is also getting
5 proper results?

6 A. So the detection phase is using the positive and
7 negative from amplification, and so within the detection I can
8 tell whether the positive amplified correctly within the kit
9 was working and that the negative was clean.

10 Q. Okay. And let's say that you get, throughout any one
11 of these three steps where you're using these machines and the
12 kits, let's say that you get something that's outside the
13 standard, what does the protocol tell you to do if that should
14 occur?

15 A. Is to back up one step. So if the process with,
16 let's say, detection, if the negative control was not clean,
17 then we would step back one step and reset up that original
18 plate, because it's two different plates, so we have an
19 amplified product plate, and we would reset up the amplified
20 product onto the machine and see if it was clean then. So
21 maybe it was just a, you know, a contaminated well within the
22 second plate that was the problem.

23 If it shows up clean then then we are good. If it
24 doesn't, then we will set up and completely reamplify the
25 sample, which means going back to the original DNA extract,

1 pulling from that and reamplifying the sample.

2 If that is still the problem, then we will actually
3 go back to the original evidence sample and take other cutting
4 or swabbing and completely re-extract the sample.

5 Q. Okay. And the kits that are used, where do those
6 kits come from?

7 A. They are companies that actually manufacture the
8 kits, and so there's one called Applied Biosystems.

9 Q. Okay. And are they accepted within the scientific
10 community?

11 A. They are. And they also do their own quality
12 assurance within the company to make sure that the kits are
13 valid. And then once we get the kits we do a QC check on the
14 kits to make sure that a positive turns out to be a true
15 positive and there's nothing within the reagents that would
16 cause a negative to be unclear.

17 Q. Okay. Let's talk about -- when was HPD crime lab
18 accredited by the Texas Department of Safety?

19 A. Well, the Texas Department of Safety does not
20 accredit us. They have to approve your accrediting body that
21 we get. So they did approve the accrediting body, which was
22 the American Society of Crime Laboratory Directors Laboratory
23 Accreditation Board, they approved that, and we got that
24 accreditation for serology in May of '05 and for DNA was June
25 of '06.

1 Q. Okay. And since that time how often does the crime
2 lab have to go through the accreditation process?

3 A. The accreditation process, or the accreditation
4 certificate lasts for five years, but we were just new, of
5 course, getting it, so we actually did it in '05, '06, '07,
6 2011 and 2010.

7 Q. Okay. So in each of those years, was it a voluntary
8 audit that HPD crime lab did?

9 A. Yes, because we were only supposed to do it every
10 five but we did it every year.

11 Q. Okay. So you were most recently accredited in 2011,
12 which will last now for five years?

13 A. Correct.

14 Q. Taking us to 2016?

15 A. Correct.

16 Q. Okay. Let's say that while you're going through the
17 process from extraction all the way to your detection, if you
18 had any problems with any steps along the way, would you
19 document that and leave that documentation within your case
20 file?

21 A. Yes, all of that paperwork remains in the case file.

22 Q. Okay. And why do you hang onto -- to that
23 documentation?

24 A. It's a record of what happened to the sample. If it
25 was, you know, amplified once and then reamplified I know that,

1 you know, the original volume that I started with with the
2 sample is now down by two amplifications, not just one. And so
3 it's just a record of everything that has happened to that
4 sample.

5 Q. Okay. Now, I think we kind of left off with your --
6 your last process being your interpretations. Can you explain
7 how the process of the comparisons with the interpretations
8 works?

9 A. So you take the unknown sample, which is usually the
10 evidence sample, and then you evaluate that sample based on,
11 you know, again, is it single source? Is it a mixture? Do I
12 need to do more work on it? And then you compare that sample
13 to any known samples that you have and do a comparison of is
14 this person's DNA consistent within the evidence sample or is
15 it not, is he included, is he not, is anyone else included, and
16 you just do a comparison based on that.

17 Q. Okay. And then after that do you write a report that
18 documents your findings?

19 A. Correct.

20 Q. Now, the procedures that you just outlined for us,
21 are those standard procedures in scientific labs that are
22 accredited.

23 A. Yes, they are.

24 Q. Now, back in 2010, were you asked to do some DNA
25 analysis on the case that we're here on today?

1 A. Yes, I was.

2 Q. Now, back in 2010 when you received this case, can
3 you tell us what you did in terms of the standard procedures
4 that you just outlined?

5 A. I did the extraction, quantification, amplification,
6 and looked at the results and the interpretation for all of the
7 samples except for one set where another analyst did four
8 extraction samples for me and then passed them to me for me to
9 carry on through the rest of the process.

10 Q. Okay. But with the exception of that first round of
11 testing, you handled everything from extraction to the
12 interpretations?

13 A. Correct.

14 Q. Okay. Is there a particular scientific method used
15 to extract DNA from a biological material?

16 A. There are several within the community, and just
17 depending on which lab you're in, they have to validate that
18 extraction method before they can use it, so it will differ
19 within labs, but there are a certain set within a community,
20 yes.

21 Q. Okay. Can you tell us which particular method was
22 used in this case?

23 A. For -- I used two different methods in this case.
24 One is called a differential extraction, which is if semen is
25 suspected of being present, then we will try to separate semen

1 cells from non-semen cells, and that's called a differential
2 extraction. For other samples, like known references, contact
3 samples, blood samples, saliva samples, we will use what's
4 called a straight extraction.

5 THE COURT: A what?

6 A. Straight, and this is through a company called
7 Qiagen, they have a kit for those, and it's just a
8 nondifferential basically extraction, it's just a
9 straightforward extraction.

10 Q. (By Ms. Fuller) Okay. And so for each of those two
11 tests you use two different kits?

12 A. Correct.

13 Q. Okay. And even though it's two different kits, do
14 you still follow the same procedures that you outlined in terms
15 of the protocols at each step?

16 A. Yes. The same protocols are outlined, and also the
17 kits have to be validated before they're used, and they were.

18 Q. Okay. And they were in this case?

19 A. Yes, they were.

20 Q. Okay. In this case in particular, I want to talk
21 about a couple of pieces of evidence. First of all, the --
22 first of all, there was quite a bit of evidence collected and
23 tested in this case. Would you agree?

24 A. Yes, there was.

25 Q. Okay. And from any of these pieces of items, did --

1 did you-all receive any -- any sperm fractions or sperm?
2 Semen, sperm or semen?

3 A. Kind of two different questions there. Sperm
4 fraction is part of the differential extraction, so that's what
5 I will generate. Now, the serologist or screener will
6 actually, if they will indicate sperm is a different question,
7 so if they suspect that semen or sperm are present, then I will
8 do a differential extraction. So I did a differential
9 extraction on penile swabs, and so there would be a sperm
10 fraction there.

11 Q. Okay. So on the penile swabs you do the
12 differential?

13 A. Yes, I did.

14 Q. And were you able to get any conclusions from the
15 penile swab?

16 A. No. There was no DNA profile obtained from that
17 item.

18 Q. Okay. Now, after you did not receive any DNA
19 profile, what did you decide to do with those penile swabs?

20 A. We requested that an outside lab actually take the
21 penile swab, remaining swab that we have, plus the extract that
22 we have, extract the final swab, and combine those two to see
23 if a DNA profile could be generated using another, a different
24 kit called a MiniFiler that HPD did not have on-line at the
25 time.

1 Q. Okay. So at the point that you don't receive a DNA
2 profile, you then ship it off to an outside independent lab to
3 get them to do a test that the HPD lab was not doing at the
4 time?

5 A. Correct.

6 Q. Okay. Now, did -- did you do any differential
7 testing on any of the other items?

8 A. No, I did not.

9 Q. Okay. And so does that mean that there were no sperm
10 fractions found on any other pieces of the evidence?

11 A. That means that no other sperm fractions were
12 generated by me during other pieces of evidence.

13 Q. Okay. Now, you corrected me when I said then about
14 when I started talking about semen, you said semen is a
15 different -- a different test; is that correct?

16 A. Yes. So semen can be detected in the original
17 screening of the case, and that's doing a certain test, which
18 is an acid phosphatase test or an alternate light source test,
19 and both of these are presumptive, which means they are
20 sensitive but not specific. So both of those will react to
21 other things besides semen.

22 And then there's a confirmatory test, which is the
23 microscopic exam for sperm cells, sperm heads, which is a
24 confirmation for semen. And then there's also a PSA, which is
25 a prostate specific antigen test, which is also a confirmatory

1 for semen.

2 Q. Okay. So somebody before you, the serologist, would
3 have detected what they thought could possibly be semen; is
4 that right?

5 A. Yes. They would have tested certain items for the
6 presence of semen.

7 Q. They would have done a presumptive test, and if that
8 presumptive test came back positive, it would then get sent to
9 you to do more testing?

10 A. Correct.

11 Q. Okay. Now, on any of the pieces of evidence in this
12 case, were you given any other pieces of items, pieces of
13 evidence to do any confirmatory testing for semen?

14 Q. So again, they would have done the confirmatory test
15 for semen if there was adequate sample for to do that test, but
16 she did do presumptive semen testing, and by she, the first
17 analyst that did this was Kristina Skalski. She did not
18 indicate semen on vaginal swabs of Flora Ryan, and no semen was
19 detected on the oral swabs of the same individual, on rectal
20 swabs, and also another set of vaginal swabs. All of these
21 produced no semen was detected on these items.

22 Q. Okay. Were there any other items that were tested
23 for semen?

24 A. Yes. An additional report, Kristina Skalski reported
25 that no semen detected on capri pants, shorts, a shirt,

1 pillowcase, a maroon towel, white hand towel, a white bath
2 towel, a floral hand towel, a plaid hand towel, a potholder, a
3 multicolor blanket, a red multicolored blanket, and a brown
4 blanket.

5 Q. Okay.

6 A. All of those were negative.

7 Q. Okay. Were there any other testing that were done
8 for the presence of semen?

9 A. There was also Juli Rehfuss recorded in a last report
10 semen was negative for an item that was a diaper and another
11 item that was a diaper.

12 Q. Okay. So at that point nothing further comes to you
13 because since nothing's detected there's nothing for you to
14 further test?

15 A. Correct.

16 Q. Okay. Turning your attention to a few other pieces
17 of evidence. You received some swabs from a pair of shorts and
18 some beer bottles; is that correct?

19 A. Yes, I did.

20 Q. Okay. Who did you receive those swabs from?

21 A. Normally I will receive those from a locked walk-in
22 freezer, but in this case I actually took those directly from
23 the serologist at the time, which was Juli Rehfuss.

24 Q. Okay. And after she's swabbed those pieces of
25 evidence and she handed the swabs to you, what did you do with

1 those swabs next?

2 A. Then I will start portioning those samples, which is
3 taking a half of swab or one swab, depending on how much or how
4 much she started with -- if she took two swabs then I'll
5 usually take one. And so I'll take one of those swabs and
6 place it in a tube and start the extraction process.

7 Q. Okay. And did you do that with the swab taken from
8 the pair of shorts and from the swab taken on a beer bottle?

9 A. Yes, I did.

10 Q. Two beer bottles; is that correct?

11 A. Four beer bottles.

12 Q. Okay. Let's talk about the shorts first. Did you
13 follow all the standard protocols from portions all the way
14 through interpretation with that swab?

15 A. Yes, I did.

16 Q. Okay. And was anybody else involved in the process?

17 A. No. I was the only one that handled these samples
18 during the process.

19 Q. Okay. And you've already outlined to us all of the
20 standards at each step of the way. Were all those standards
21 met as you were doing this testing?

22 A. The standards were within range, and the negative
23 control was clean and the reagent blanks were clean, yes.

24 Q. Okay. And have you had a chance to review your case
25 file?

1 A. I have.

2 Q. Do you have any documentation in there regarding the
3 swabbings to the shorts that would indicate that there were any
4 problems throughout the steps that would have been documented?

5 A. I have reviewed and I didn't see any issues with
6 that.

7 Q. Okay. So when you were able to go through the
8 process, what were your -- first of all, were you able to get
9 a -- a DNA profile from the shorts?

10 A. Yes, I was.

11 Q. Okay. And was it a full or partial profile?

12 A. This was actually a mixture of DNA from at least two
13 people.

14 Q. Okay. And once you get the mixture of the DNA from
15 two people, what will you then do?

16 A. I will take the known samples that I have and see if
17 their DNA is consistent within that mixture and then do an
18 inclusion or exclusion of that individual.

19 Q. Okay. Now, let's talk specifically about the shorts.
20 Were you able to identify two people -- who the mixture
21 belonged to in this case?

22 A. Yes, I was.

23 Q. And who was that?

24 A. The major component, which is an individual that
25 contributed more DNA, was Flora Ryan. She could not be

1 excluded from this mixture. And then Dean Wood could also not
2 be excluded from the mixture of the shorts.

3 Q. Okay. And then once you are able to say whether
4 somebody is included or excluded, major contributor or not, do
5 you then assign a probability to each individual?

6 A. Yes, we did.

7 Q. Okay. And what were those probabilities?

8 A. For Flora Ryan the probability that an unrelated
9 individual would be included as a major contributor is 1 in 7.8
10 trillion for Caucasian, 1 in 2.1 quadrillion for
11 African-Americans, 1 in 7.6 billion for Southeast Hispanics,
12 and 1 in 19 trillion for Southwest Hispanics.

13 Q. So based on those probabilities, can you say with
14 scientific certainty that the unknown sample came from that
15 complainant, Flora Ryan?

16 A. Not for the shorts, no.

17 Q. And what do you mean by that?

18 A. It means the number has to be above a certain
19 threshold before we will say it with scientific certainty this
20 person, you know, other than an identical twin could be on
21 those shorts.

22 Q. Okay. So the probability goes to -- let me back up.
23 So you're saying that there could be an identical twin out
24 there that could also have that same DNA?

25 A. Correct.

1 Q. Okay. Let's move on to the beer bottles. The swabs
2 were taken. You got them directly from Juli. Was anybody
3 involved in the process from extraction through your
4 interpretation?

5 A. No, just myself.

6 Q. Okay. And can you tell me, here we might need to go
7 by -- tell me the results from the bottles.

8 A. The first bottle is item 8.2.1.1, and this was a
9 partial female DNA profile, and Flora Ryan could not be
10 excluded, and Dean Wood, Julie Ostlund and Mary Ostlund are
11 excluded as contributors.

12 Q. Okay. So on that first one, 8.1.1 -- is that right?

13 A. 8.2.1.1.

14 Q. 8.2.1.1, you've got a partial profile and Flora Ryan
15 cannot be excluded?

16 A. Correct.

17 Q. What was the probability that was attached to
18 8.2.1.1?

19 A. Approximately 1 in 110 billion for Caucasians, 1 in
20 34 trillion for African-Americans, 1 in 75 million for
21 Southeast Hispanics, and 1 in 270 billion for Southwest
22 Hispanics.

23 Q. Okay. Let's move on to the next bottle.

24 A. So item 8.3.1.1 there was no DNA profile obtained
25 from this item.

1 Q. All right. And the next one?

2 A. Item 8.4.1.1, this also was a partial DNA mixture of
3 two individuals. Flora Ryan cannot be excluded, and the
4 probability for her is 1 in 890 for Caucasians, 1 in 4100 for
5 African-Americans, 1 in 280 for Southeast Hispanics, and 1 in
6 8200 for Southwest Hispanics. Dean Wood could also not be
7 excluded from this mixture on this beer bottle.

8 Q. And was a probability assigned to him?

9 A. Yes, there was. His was 1 in 100,000 -- sorry -- 1
10 in 11 million for Caucasians, 1 in 160 million for
11 African-Americans, 1 in 100,000 for Southeast Hispanics, and 1
12 in 160 million for -- sorry -- 1 in 93 million for Southwest.

13 Q. And was there one more beer bottle, or was that all
14 of them?

15 A. There was one more. Item 8.5.1.1, and there was no
16 interpretable DNA profile obtained from this item.

17 Q. Now, again, I asked you specifically to the shorts,
18 but also with the beer bottles, does your file indicate that
19 there were any problems throughout the process with the
20 standard protocols at each phase?

21 A. No, there was no problems indicated for the beer
22 bottles extraction or all the way through, no.

23 Q. Okay. So is it safe to say that all the protocols
24 were followed in this case leading up to all of your
25 conclusions about the DNA?

1 A. Yes, they were.

2 Q. Now, after you do your interpretations and you've
3 reached a result, what happens with your results?

4 A. So my report is then reviewed by another qualified
5 analyst, and that individual has to agree to all of my findings
6 and all of my interpretation, and actually has to sign off a
7 checklist on that. And then it also goes for another review.
8 So there's a double review of all interpretations and all
9 reports before they are finalized. So two other people also
10 have to agree with the conclusions, the inclusion or exclusion
11 and the stats involved.

12 Q. Okay. And in order for them to do that, do they just
13 review the data that you've interpreted or do they go back and
14 do all the steps?

15 A. They review all the data that was generated.

16 Q. Are DNA analysts under a code of ethics or moral
17 obligations?

18 A. Yes, we are. We have to follow a code of ethics
19 through the accrediting body and also within the lab.

20 Q. Okay. And what basically does that code of ethics
21 prescribe you to do or not to?

22 A. To have a moral compass, and not convict the wrong
23 individual.

24 Q. Can we go back to the -- your results for the shorts,
25 and could you give us -- you said that that was also a mixture.

1 You gave us Flora Ryan's probability, but can you tell us who
2 the other individual was on the shorts?

3 A. So Flora Ryan was -- could not be excluded and Dean
4 Wood could also not be excluded as a possible contributor to
5 this DNA mixture. And his stats are approximately 1 in 8100
6 for Caucasians, 1 in 51,000 for African-Americans, 1 in 9700
7 for Southeast Hispanics, and 1 in 74,000 for Southwest.

8 MS. FULLER: Pass the witness, your Honor.

9 THE COURT: Cross-examination.

10 MR. HOCHGLAUBE: Judge, may I use the television
11 system just so the Court can see the --

12 THE COURT: Sure.

13 CROSS-EXAMINATION

14 BY MR. HOCHGLAUBE:

15 Q. Okay. Basically, I tried to make a list here of the
16 items that you did DNA testing on, all right. And so you can
17 see on the left side it talks about the defendant's penile
18 swab.

19 A. Correct.

20 Q. The complainant's shirt stain, the complainant's
21 blanket stain, fingernail, two fingernail swabs. The
22 defendant's shorts, which was a swab, and then there was a
23 bloodstain from the shorts, and then two beer bottles, malt
24 liquor bottle and one more beer bottle, and then the last I
25 said I just have a thing -- before I forget, item 1.1 that you

1 analyzed was the defendant's shorts, right?

2 A. Yes, 1.1 was the shorts.

3 Q. And that's the item that Juli Rehfuss did of
4 basically initial testing to see whether there might be any
5 bodily fluids on it, right?

6 A. Correct.

7 Q. And it came back as a presumptive positive for semen,
8 correct?

9 A. Correct.

10 Q. And you and I and Ms. Fuller were meeting outside
11 just before your testimony here today, right?

12 A. Yes.

13 Q. And just so everybody's clear, you ultimately take
14 the swab from those -- well, no, you take -- ultimately you
15 take the shorts and you never detect any semen on them; is that
16 correct?

17 A. It was presumptive for semen, but actual semen, no.

18 Q. All right. So there's no actual conclusive evidence
19 that there was any semen found on -- on item 1.1, which are the
20 defendant's shorts, right?

21 A. Correct.

22 MR. HOCHGLAUBE: And I guess I just ask, Judge, the
23 prosecutor and I, I think have a gentleman's agreement on this,
24 but just to memorialize it, that the prosecutor's not going to
25 refer to any presumptive positive test because there was no

1 conclusive test subsequent to that.

2 MS. FULLER: That's true, your Honor.

3 THE COURT: All right.

4 MR. HOCHGLAUBE: Thank you, Judge.

5 Q. (By Mr. Hochglaube) Now, the items that -- let's
6 start with the defendant's penile swab. The -- would you say
7 that you performed a test on epithelial cells; is that right?

8 A. Not a test. There's a differential extraction will
9 reveal a sperm fraction and an epithelial fraction, and these
10 are from the same swab, originally from the same swab, just
11 separated.

12 Q. Okay. And ultimately you found no evidence of there
13 being sperm, right?

14 A. For the epithelial there was no interpretable DNA
15 profile, and so the original test would indicate --

16 Q. Let me -- you have two different results because
17 you're testing for two different things, right?

18 A. Correct.

19 Q. You're testing for epithelial, which basically means
20 skin, right?

21 A. Correct.

22 Q. And for sperm, right?

23 A. But you're not testing for epithelial, you -- the
24 fraction is called an epithelial fraction. It's just a word
25 that we use. But it's an epithelial fraction, which is like a

1 non-sperm fraction. It's not testing for epithelial cells. I
2 mean, it could be spit cells, it could be any other cells, it's
3 just a -- some other cells besides sperm cells.

4 Q. Okay. So some sort of bodily fluid that is not
5 sperm, right?

6 A. Correct.

7 Q. You tested for the presence of that?

8 A. It's not testing for the presence, it's just trying
9 to get a DNA profile from that fraction and from the sperm
10 fraction.

11 Q. All right. And the sperm fraction, there was no
12 sperm that was located, right?

13 A. Correct.

14 Q. So basically when -- from this swab, the only thing
15 you get are skin cells, right, or some sort of not sperm cells?

16 A. Correct, I did not get a DNA profile from sperm
17 cells.

18 Q. All right. Can you tell me what kind of cells you
19 did?

20 A. No.

21 Q. So you don't know whether they're blood or whether
22 they're epithelial or whether they're some other kind of fluid?

23 A. No, because we don't do tests for what kind of cells
24 are present. So what I'm trying to develop is a DNA profile
25 from that sample. Now, whether that sample contains blood

1 cells, skin cells, or saliva cells, I don't know, because
2 there's not a test for that we perform.

3 Q. So there is no way to tell whether this is a skin
4 cell or a blood cell?

5 A. You can look where the item is collected from. In
6 this case it was penile swabs. So if a DNA profile was
7 generated from an epithelial fraction, you could assume that
8 the DNA from that was from skin cells of the penis, but if
9 there's nothing, then I'm not getting cells at all.

10 Q. But in this case you're also trying to locate not
11 just cells of the person's penis, but you're trying to locate
12 another person's cells on that penis?

13 A. Correct.

14 Q. All right. And you can't make any assumptions about
15 what type of cell that is, right?

16 A. No, I cannot.

17 Q. And is there a way to determine whether or not that
18 cell is a blood cell or some other kind of cell?

19 A. Doctors, pathologists can determine what the
20 individual cells are, but I cannot.

21 Q. Do you know whether any type of testing was done to
22 determine whether this was a skin cell or a blood cell or some
23 other type of cell?

24 A. No, I do not, not that I'm aware of, no testing was
25 done to determine what cell that was.

1 Q. Okay. Do you know whether -- because some of the
2 evidence that went -- that I saw was -- went through serology,
3 right?

4 A. Correct.

5 Q. In fact, probably all of it went through serology,
6 right?

7 A. Yes.

8 Q. And at serology they're presumptive blood tests, are
9 they not?

10 A. Yes, they are.

11 Q. This swab ever made for a presumptive blood test?

12 A. (Looking in report.)

13 Q. It's 3.1.1.

14 A. So no testings for blood were performed on the penile
15 swabs, no.

16 Q. Okay. Now, I don't want to quarrel with you, but you
17 refer to this -- this type of cell in your report as being an
18 epithelial cell, correct?

19 A. Correct.

20 Q. And an epithelial cell is not the same thing as a
21 blood cell, correct?

22 A. Correct.

23 Q. Okay. But your testimony here today is that it could
24 have been a blood cell or an epithelial cell, you don't know?

25 A. If a DNA profile was developed, I would not be able

1 to specifically tell you that the DNA profile is from an
2 epithelial cell or a blood cell, because I do not do a test to
3 determine what the cell is before the DNA profile is developed.

4 So an epithelial fraction can contain blood cells,
5 skin cells, or other type of cells, like saliva cells, but
6 again, the test is not there to determine what the cell is
7 before the profile is developed.

8 Q. Okay. Now, I just want to cover the piece of
9 evidence the prosecutor went through with you, so I'm not going
10 to cover the complainant's shirt stain, which is item no.
11 4.3.2.1, or the blank, which is 7.1.3.1, or either of the
12 complainant's fingernail scrapings, which is 10.3.1.1 or
13 10.4.1.1. And I want to skip down to what are marked as the
14 defendant's shorts, and a swab from those shorts, which is
15 1.1.1.1, and in that sample, you ultimately conclude that the
16 complainant's DNA matches the sample found on that swab with
17 the likelihood of 7.8 trillion to 1 essentially, right?

18 A. It is consistent with Flora Ryan, yes.

19 Q. Okay. And but with the defendant -- and it's
20 difficult to see my writing here -- the defendant it's only
21 about 8100 to 1, right?

22 A. Correct.

23 Q. There's a big difference between those two, correct?

24 A. Yes.

25 Q. The -- now, I'm going to skip the defendant's shorts

1 and the bloodstain. This is what was sort of interesting, you
2 have specific things that the lab tested that you guys
3 identified as being blood, right?

4 A. Yes.

5 Q. Well, how do you identify it as being blood?

6 A. Because there are presumptive tests to indicate that
7 human blood is present. But again, even if they say human
8 blood is present and I develop a DNA profile, I can't say with
9 certainty that the DNA profile that was developed is from blood
10 or is there saliva stains under the blood that's developing the
11 profile. And so -- but I can tell you, like, whose DNA that is
12 consistent with, just not exactly the source of the cells that
13 it came from.

14 Q. Okay. So the beer bottle, 8.2.1.1, the ratio you
15 come up with, a hundred ten billion for Caucasians, that's a
16 hundred ten billion to 1 that another person would have the
17 same DNA like the complainant to match this -- the DNA found on
18 this beer bottle?

19 A. Correct.

20 Q. But the defendant is excluded from that beer bottle,
21 right?

22 A. Yes, he is.

23 Q. Okay. And again, you don't know, I guess, whether or
24 not the bodily fluid found on that beer bottle is -- whether
25 it's saliva or whether it's blood or whether it's something

1 else?

2 A. No, I do not.

3 Q. And you're saying you're not aware of any testing
4 that was done to determine whether it was blood or saliva or
5 anything else?

6 A. For the cells, no. There was no other testing to
7 determine which cell it came from, no.

8 Q. Okay. The next beer bottle, 8.3.1.1, there's no DNA,
9 but the malt liquor bottle, 8.4.1.1, this again shows a fairly
10 small ratio in comparison to some of the other numbers we see
11 on some of the evidence, right?

12 A. Yes.

13 Q. It shows a ratio of 890 to 1 match against the
14 complainant's, right?

15 A. Correct.

16 Q. And if she was a Southeast Hispanic the number could
17 be as low as 280, correct?

18 A. Correct.

19 Q. Whereas for the defendant you show it as being 11
20 million to 1, right?

21 A. Correct.

22 Q. But again, with the malt liquor bottle, you're not
23 saying that it's blood or saliva or any kind of bodily fluid in
24 particular?

25 A. No, I'm not.

1 Q. And you're not aware of any kind of testing that's
2 done, that your lab did to determine what kind of fluid it was?

3 A. No, no testing was done.

4 Q. When your lab gets items that have -- what obviously
5 appear to be bloodstains on them, does your lab take steps to
6 try to identify the substance that you're seeing is blood?

7 A. Yes, there are tests to determine whether it is human
8 blood, but if an item is suspected of being just touched, we
9 will not perform those tests of whether it's blood because that
10 will remove DNA from that item, and so then I'm losing DNA to a
11 test when reddish brown stains were not visualized, so we do
12 not do it in the event of removing possible DNA from that item.

13 Q. Sure. But you agree with me that in substance it is
14 indicative of the fact that nobody saw any obvious signs of
15 blood on these items?

16 A. Correct.

17 Q. By the fact that they didn't do any tests to see that
18 it was blood?

19 A. Right. The report will state that no red brown
20 stains were indicated or no indication of blood was there, so
21 they would not do those.

22 Q. Okay. Now, you talked about the machines that you
23 use, and the extraction process, which I guess is the first
24 part of this process that you go through; is that right?

25 A. Yes.

1 Q. What's the name of the machine you use?

2 A. There is not a machine for the extraction process.

3 The extraction process is done manually within the tube itself,
4 and so reagents are added, they will break open the cells, and
5 then the swab is removed and there's a set -- there's a kit
6 which is from a company called Qiagen, and the DNA will pass
7 through a filter and all of the proteins and sugars and all the
8 debris will be filtered out and then you will have purified
9 DNA. So there --

10 Q. Is there -- because you did one test, the
11 differential test, right?

12 A. Correct.

13 Q. And that's where you're trying to basically spend
14 some time trying to separate out sperm from other types of
15 cells, right?

16 A. Correct.

17 Q. And doesn't that require a machine to spin?

18 A. Well, there is a centrifuge involved, which is just
19 spinning the tubes fast, and so there is a machine there, but
20 that is just the separation part.

21 Q. Okay. So and what's that machine called?

22 A. A centrifuge.

23 Q. Is there, like, a producer or manufacturer?

24 A. There's different ones. There's -- I think Applied
25 Biosystems has one.

1 Q. Let me focus on this, do you know which one -- who
2 made the one in this case?

3 A. No, but I would have to go back to the lab and look,
4 which actual machine was used.

5 Q. Okay. So you didn't know which machine it was?

6 A. Not at this time right now, but I can find that out.

7 Q. And then is there any other kind of machine that's
8 used during the extraction process?

9 A. There are pipettes, which are items that will take
10 out the fluid, and all of those are -- there's a couple
11 different vendors for those, and I can get those names too.

12 Q. Okay. Do you know what the sort of scientific theory
13 is behind the pipettes?

14 A. It's kind of like a syringe. It brings liquid up and
15 expels liquid out.

16 Q. So it's just like a vacuum that sucks?

17 A. Right.

18 Q. Okay. And then it sucks it into -- we're talking
19 about quantitation; is that right?

20 A. We're still on extraction.

21 Q. Okay. Are there other machines in extraction besides
22 these two, that -- the --

23 A. There's probably a heat lock, which is once the
24 reagents are added it stays at a constant temperature during
25 the evening, or overnight, so there's a heat lock associated

1 with that.

2 Q. Okay. And what else, any other machines?

3 A. Not that I can think of.

4 Q. All right. What is a TKN 150?

5 A. A TKN 150 is a machine that will do the quantitation
6 set-up or the amplification set-up.

7 Q. Okay. Is that -- was that machine used in this
8 process?

9 A. No. I did all of this manually with a pipette.

10 Q. I see. Okay. So you didn't use the TKN 150 or the
11 TKN 7500?

12 A. No, sir, I did not.

13 Q. What about the 9700 Thermocycler?

14 A. Yes, those were used.

15 Q. Those were used. But that gets to the amplification;
16 is that correct?

17 A. Correct.

18 Q. So your testimony to the Court is that you manually
19 took -- did the job of what sometimes machines are used for in
20 the extraction and quantitation process?

21 A. Quantitation, there are a couple of machines that can
22 be used for extraction, but those were not being used at the
23 time of this analysis.

24 Q. Right. And you did it manually?

25 A. I did those, yes.

1 Q. Okay. And this is -- I asked you this as a grammatic
2 question. Why do sometimes they call it quantitation and
3 sometimes quantification?

4 A. It's just interchangeable. It's determining how much
5 DNA is present.

6 Q. Now, you get to the -- so I guess the next machine
7 that comes into play is the amplification machine; is that
8 right?

9 A. After extraction, there's quantification, there's a
10 machine there, and then the next machine is amplification,
11 which is the 9700.

12 Q. All right. And what does that do?

13 A. The 9700?

14 Q. Yes, sir.

15 A. It amplifies DNA, the 16 regions that I will look at.
16 And so it's just copying the DNA that I'm looking at.

17 Q. And do you understand the scientific principle behind
18 how it does that?

19 A. I do.

20 Q. Can you explain to the Court?

21 A. I can. So when DNA is extracted, you have full DNA
22 within the tube. That DNA is added, along with another kit,
23 and another asset of reagents into the amplification process,
24 and then primers, which are short sequences of DNA, will come
25 in and sit down on an area before the 16 region -- 1 of the 16

1 regions that I'm going to look at, and we'll start copying that
2 region. And so through a series of heating and cooling, that
3 area will be copied millions of times on that area, and since
4 this is a multiplex system, all 16 regions are being copied at
5 the same time, and that's how you get DNA copying.

6 Q. Okay. Now, is there a computer that's involved in
7 the 9700 Thermocycler?

8 A. Yes.

9 Q. And explain to the Court what that does.

10 A. Well, the computer is within the system itself, so
11 the computer is basically telling the system to raise the
12 temperature and lower the temperature. So as you raise or
13 lower the temperature, these primers will bind, and as you
14 lower it they will copy, and then it raises it again so more
15 primers will bind, and then more copying, and that's how you
16 get the exponential copying of it. And so the computer is
17 actually within the machine.

18 Q. All right. And is there not some sort of computer
19 program that you would be sort of overseeing in the
20 amplification process?

21 A. I mean, it's an internal computer program within the
22 machine, yes.

23 Q. But my understanding is that you will sometimes type
24 in information into a sort of -- I'm forgetting the right word
25 for it -- but basically a form that -- a form that sits on a --

1 on a computer; is that right?

2 A. All of the heating and cooling cycles and programs
3 that are used are preprogrammed or programmed by us and then
4 are validated by us before the kit goes on-line or before the
5 machine goes on-line using for evidence samples.

6 Q. So do you do that validation every single time you do
7 a test with it?

8 A. No. The validation is done originally when the
9 instrument comes in.

10 Q. Okay. And when was that in this case, how much
11 earlier to your testing was that validation done?

12 A. These machines were there before I started in 2005,
13 but they are checked every year, twice a year, a series of
14 preventative maintenance, to make sure that they are working
15 correctly.

16 Q. And those checks are in October; is that right?

17 A. They are -- I know one's in September and I think
18 one's in March, so they're about six months apart.

19 Q. And so how much time had gone by when you did this
20 test on -- when you did these tests from when the last
21 validation check had been done?

22 A. These samples were processed in May of 2011, and so
23 March would have been when they were tested and preventative
24 maintenance were done on them to make sure that they were
25 working correctly.

1 Q. So two months, approximately?

2 A. Correct.

3 Q. But my understanding, I thought some of this stuff
4 was not done in 2011. I thought some of it was done earlier
5 than that. Is that not true?

6 A. Yes. Some of the original DNA extractions were done
7 in 2010, but the shorts and the beer bottle were done on this
8 set, which was 2011.

9 Q. So basically for 1.1.1.1 and 8.2.1.1 and 8.4.1.1,
10 which is a swab of the defendant's shorts, a beer bottle and a
11 malt liquor bottle, your testimony is that your testing was
12 completed in May of 2011, and that the last validation check on
13 the 9700 Thermocycler had been in March of 2011; is that right?

14 A. Sorry, not May, it was April 2011.

15 Q. Okay. And March of 2011 is when you believe the --
16 this 9700 Thermocycler was last maintained?

17 A. Correct.

18 Q. And when they do the maintenance on it, they run a
19 known sample through it and check to make sure that it's coming
20 back with the information you expect it to come back, right?

21 A. We do a series of tests to see if the machine is
22 heating and cooling correctly, and then a known sample is
23 processed to see if the expected results are there.

24 Q. All right. But there's no telling how many different
25 samples had gone through the 9700 Thermocycler since its

1 last -- since its last validation check and when you did this
2 testing on the evidence here; is that right?

3 A. Correct.

4 Q. And the next time that the -- that machine is checked
5 you would say would be September of 2011?

6 A. Yes.

7 Q. And my understanding is that when you do the check,
8 you basically -- like you say, you go through the process of
9 seeing whether -- seeing whether everything appears to be
10 working correctly at that time, right?

11 A. Correct.

12 Q. And then you put a known sample through and see
13 whether it comes out with the known sample's information
14 accurately?

15 A. Correct.

16 Q. Were you a part of either of these validation checks
17 in September of 2011 or March of 2011?

18 A. Yes. During the time I was part of the team that was
19 doing the checks on these machines.

20 Q. Okay. Was there any type of maintenance issues with
21 the 9700 Thermocycler?

22 A. Not that I have indicated in this report, but I would
23 actually have to go back to the logbook of these machines to
24 see if there was an issue.

25 Q. Okay. So you can't say with certainty that there was

1 or there was not?

2 A. No.

3 Q. And for the defendant's penile swab, you do your
4 testing in 2010, correct?

5 A. Yes, it was done in December of 2010.

6 Q. So by that reckoning, the last -- the last validation
7 check would have been in September of 2010, correct?

8 A. Yes, the last preventative maintenance would have
9 been in September, yes.

10 Q. So that's three or four months?

11 A. Three months, yes.

12 Q. And then it would have been another three months
13 until March of 2011 before you had got the next check; is that
14 correct?

15 A. Correct.

16 Q. Okay. The -- the -- after the amplification, is
17 there another machine that's used in the amplification process
18 besides the 9700 Thermocycler?

19 A. No, sir.

20 Q. Is there another machine that's used in the -- I
21 guess the genetic analyzer, what is the actual name of that
22 machine?

23 A. That is the name. It's a 3100 Genetic Analyzer.

24 Q. Thank you. 3100. And who is the producer of that?

25 A. Applied Biosystems.

1 Q. Is it the same information in terms of when that
2 machine is maintained as the 9700 Thermocycler?

3 A. No. That was actually -- I'm sorry, what was the
4 question?

5 Q. Do you maintain the Genetic Analyzer in the same sort
6 of cycle that you maintain the 9700 Thermocycler?

7 A. Basically that one is checked every time you process
8 a sample because you have a known positive that is processed
9 with all the samples, and so the Genetic Analyzer is basically
10 checked every time a set of samples are processed, because the
11 positive has to come out with expected results and the negative
12 has to come out clean. Now, there is a preventative
13 maintenance on the 3100 that is done every year in November.

14 Q. All right. And so in November of 2010 is when -- is
15 the last check of the Genetic Analyzer before the penile swab
16 which gets tested in December of 2010, right?

17 A. Correct.

18 Q. And it would also be the last check before the other
19 three pieces of evidence, the defendant's shorts, the beer
20 bottle -- and the beer bottle and the malt liquor bottle, which
21 you say were tested in April of 2011; is that right?

22 A. Correct.

23 Q. And why do you do this annual check?

24 A. This is a technician coming from the actual company
25 that will make sure all the components are working correctly.

1 Again, we will do individual checks of the machine with running
2 the positive and negative. If we indicate that there's a
3 problem, then we will do certain steps. There's daily
4 maintenance, there's changing the water, changing the buffer,
5 but a technician preventative maintenance is done once a year.

6 Q. Okay. What is -- what is the difference between DNA
7 material that's degraded versus inhibited?

8 A. Inhibited is like a component coming in and
9 inhibiting the copying process, and that can be anything from,
10 dirt is an inhibitor and the dyes from jeans can be an
11 inhibitor. Degraded is the sample, the DNA is broken down,
12 either exposed to sunlight or mold or heat. In either case you
13 can get a -- not a DNA profile or a partial DNA profile.

14 Q. And the MiniFiler, is that made by Applied Biosystems
15 also?

16 A. Yes, it is.

17 Q. And your lab has an RFU standard; is that right?

18 A. Correct.

19 Q. Tell the judge what that is.

20 A. The RFU is the relative fluorescence unit, and that
21 is the DNA profile when it's produced on the graph has to be
22 above a certain RFU value before an allele call is made, which
23 means like a 12 or an 11, whatever you would see on a DNA
24 results chart. If it does not meet that threshold, then at
25 this time we were indicating that with an asterisk, which means

1 it was below that RFU volume, which means it could be
2 inhibited, it could be degraded, or it could just be less DNA
3 is there.

4 Q. Okay. And so basically, as I'm understanding it,
5 sort of like when you listen to a -- for a sound, right, you
6 might see a wave that jumps super high up because it's a really
7 loud volume, right?

8 A. Okay.

9 Q. All right. But when the volume goes down, underneath
10 a certain level, you guys decide we're not going to make a call
11 as to what that DNA -- what that DNA chemical is?

12 A. What that DNA call is, yes.

13 Q. So -- okay. And so basically if the volume goes down
14 low enough, at some point you guys say we don't trust it to
15 make a call definitively?

16 A. Correct.

17 Q. And you guys use RFU, the number 150, right?

18 A. At this set, I believe it was done at 100.

19 Q. 100. You're aware that Applied Biosystems recommends
20 use of 150 as a bottom RFU unit?

21 A. No, I'm not. But we do validation studies to
22 determine what level of sensitivity our machines have. And so
23 100, which was three times a baseline noise, was our cut-off
24 value based on our validation studies for those machines.

25 Q. Do you know what NFSTC stands for?

1 A. Yes.

2 Q. What's that?

3 A. National Forensic -- National Forensic Science
4 Technology Center.

5 MR. HOCHGLAUBE: Can I approach the witness?

6 THE COURT: You may.

7 Q. (By Mr. Hochglaube) They're basically an established
8 body, they're recommended, they're a respected body within the
9 scientific community; is that right?

10 A. Correct.

11 Q. Okay. And you'll see this printout right here, www
12 dot --

13 A. NFSTC.

14 Q. -- dot org, right?

15 A. Correct.

16 Q. And I just want you to take a look at this paragraph
17 right here.

18 A. (Complies.) Okay.

19 Q. Do you accept that the Applied Biosystems recommends
20 at least a 150 RFU threshold?

21 A. Yes, but they also say that each lab should determine
22 what their threshold should be based on validation studies, and
23 you can go anywhere from 50 RFUs to a hundred, to 150. So
24 based on the validation studies, you can determine your own
25 threshold.

1 Q. How many of the tests that you performed on -- how
2 many of the tests that you did DNA analysis on had RFUs below
3 150?

4 A. How many samples?

5 Q. Correct.

6 A. Or how many -- so for Item 58, Item 1.1.1 -- sorry,
7 58 is my number. These are portion of swabs from shorts.
8 Approximately 6 locations out of the 16 have RFU values below
9 150.

10 Q. How would that, if we eliminated those 6 that were
11 under 150, how would that change your ultimate conclusion?

12 A. I mean, I don't know. I would have to eliminate
13 those and then go back and write a different report and have it
14 reviewed.

15 Q. So suffice to say, though, it would make it so that
16 the number -- the numbers came down, correct?

17 A. As far as the probability numbers?

18 Q. Correct.

19 A. Potentially, yes.

20 Q. The -- how about on the beer bottles, 8.2.1.1?

21 A. Also approximately 6.

22 Q. And we don't know what the final conclusion would be
23 in terms of what -- it says 110 billion to 1 chance basically
24 that this is somebody besides the complainant right there,
25 right? We're talking about 8.2.1.1?

1 A. Correct, a hundred ten billion.

2 Q. All right. So is potentially that number would be
3 different if we threw out the numbers that were below the RFU
4 threshold recommended by the manufacturer of the machine,
5 Applied Biosystems?

6 A. Correct.

7 Q. And the malt liquor bottle, 8.4.1.1?

8 A. Approximately 4.

9 Q. 4 out of 16?

10 A. Correct.

11 Q. Were any of those four applicable to the
12 complainant's number, to the complainant's likely DNA?

13 A. (Looking at report.) Two of those were used in the
14 stats.

15 Q. So potentially this 890 to 1 number for the
16 complainant, that could potentially be lower as well, a lower
17 number, correct?

18 A. Yes, if I deleted the two, yes, it would be lower.

19 Q. If you deleted the two, two alleles that came back
20 beneath the recommended 150 RFU that's recommended by Applied
21 Biosystems, right?

22 A. Correct.

23 Q. And for the defendant's penile swab?

24 A. His penile swabs?

25 Q. Right, 3.1.1.

1 A. There was no DNA profile obtained and there was no
2 interpretable obtained on both of those.

3 Q. But you tried to interpret it, right?

4 A. Yes. There wasn't enough information to interpret.

5 Q. Okay. I get that. What I'm trying to get at, you
6 ultimately were able to come up with some alleles but all the
7 alleles that came back were beneath the 150, right?

8 A. No. Some of the alleles were above 150, but there
9 just wasn't enough information to make a conclusion on those
10 results, whether they were above 150 or not, it was just too
11 little DNA.

12 Q. Okay. And basically part of the reason why is
13 because you guys decided it was not interpretable is because
14 you were getting some results that were below the threshold
15 that your lab finds acceptable, right?

16 A. Some were above. There was also other indications
17 of -- just not enough information. So some of the alleles were
18 above the 150 and above a hundred. Some were in the 300s.
19 It's just it wasn't enough information for me to make a
20 conclusion on who that was.

21 MR. HOCHGLAUBE: I'll pass the witness, Judge.

22 THE COURT: Redirect?

23 MS. FULLER: Nothing further, your Honor.

24 THE COURT: Thank you very much for coming in.

25 THE WITNESS: Thank you.