

1 (Open court, defendant present, no jury)

2 (Witnesses sworn)

3 THE COURT: So, the Rule has been invoked.

4 Don't discuss your testimony in the presence of other
5 witnesses or be in the courtroom when another witness is
6 testifying.

7 And who is your first witness, Alycia?

8 MS. HARVEY: Davis.

9 THE COURT: Okay. Mr. Davis, you can have
10 a seat on the first row.

11 Ma'am, you can wait outside.

12 (Open court, defendant and jury present)

13 THE COURT: Thank you. Please be seated.

14 Ladies and gentlemen, welcome back.

15 Ms. Harvey, you may proceed.

16 MS. HARVEY: State calls Dr. William Davis.

17 THE COURT: Ladies and gentlemen, this
18 witness was sworn outside your presence.

19 You may proceed.

20 MS. HARVEY: Thank you, Your Honor.

21 **DR. WILLIAM DAVIS,**

22 having been first duly sworn, testified as follows:

23 **DIRECT EXAMINATION**

24 **BY MS. HARVEY:**

25 Q. Would you tell the jury what your name is?

1 A. Yeah. Good morning. My name is William Davis.

2 Q. How are you employed?

3 A. I am the director of physical evidence at the
4 Harris County Institute of Forensic Sciences.

5 Q. And as the director of physical evidence, what
6 are your job duties?

7 A. My job duties are to oversee two sections
8 within the crime laboratory service of the institute.
9 One is the trace evidence laboratory and one is the
10 firearms laboratory.

11 Q. And what is your educational background?

12 A. I have degrees in chemistry. I have a
13 bachelor's degree from Syracuse University and a Ph.D.
14 from Columbia University.

15 Q. Were you the director of physical evidence at
16 the firearms lab back in March of 2011?

17 A. I was not.

18 Q. When did you become the director?

19 A. I became the director in January of this year,
20 2013.

21 Q. And before you were the director?

22 A. Prior to that, I was the trace evidence
23 manager.

24 Q. And what is a trace evidence manager?

25 A. I oversaw that little, little piece of the

1 realm there where we do gunshot residue and fire debris
2 analysis.

3 Q. Are you, in fact, an expert in gunshot residue?

4 A. Yes, I am.

5 Q. And what specific training have you had in that
6 area?

7 A. The training for the actual analysis was done
8 at the Institute of Forensic Sciences. The
9 instrumentation that we use, I was trained -- taught the
10 basics and operation of it at Lehigh University.

11 Q. And do you keep up with any changes in the
12 science?

13 A. Yes, I do.

14 Q. On -- back on November 13th of 2011, did you do
15 a gunshot residue analysis in the case of the -- in the
16 case of Ms. Dinanno?

17 A. The date is approximately correct, yes. I'm
18 not quite sure when the exact analysis was. November of
19 '11.

20 Q. Of 2011?

21 A. Yes.

22 Q. Okay. And can you explain to the jury what
23 gunshot residue is?

24 A. Sure. The term "gunshot residue" is sometimes
25 misleading. People think it's just gunpowder that was

1 left behind, but the forensic examination of gunshot
2 residue has to do with microscopic particles that are --
3 that are left behind, but not by the gunpowder, but by
4 the primer that's present in the ammunition itself. The
5 primer is what's responsible for lighting the gunpowder.
6 So, it's this primer residue that we look at.

7 Q. And when a gun is fired, where does that primer
8 residue go?

9 A. The primer residue gets caught up in all of the
10 gases that result in the explosion of the gunpowder.
11 Gases routinely will find their way out through any
12 hole. So, it will come out through the front of the
13 weapon. It will come out through ejector ports of
14 semiautomatic pistols, the slide mechanisms of
15 semiautomatic pistols. It will come out the cylinder
16 gaps of revolver-type pistols.

17 Q. And when the primer residue is ejected from the
18 weapon, where does it go?

19 A. It will travel, depending on which breach it's
20 going from. It goes tens of feet out the front and
21 within 10 to 15 feet out the sides, but what it's -- as
22 it's ejected, it's liquid. And then it cools off and
23 then it falls. All right? So, it will fall onto a
24 surface from which -- and you can collect it with a
25 piece of tape.

1 Q. How long will gunshot residue stay on an item
2 after it has fallen there?

3 A. It -- that will be indefinite as long as that
4 surface is not disturbed.

5 Q. And what level of disturbance will cause a
6 change or a -- cause the gunshot residue to go away?

7 A. Minor disturbances. Wind. If it were an
8 outdoor event, wind would be able to -- a breeze will be
9 able to move it around.

10 Q. Are there specific elements that you're looking
11 for in gunshot residue?

12 A. Yes. The chemical formulation of primers is --
13 it's a proprietary thing amongst manufacturers, but they
14 all use the same basic chemical substances. Those
15 chemical substances contain lead, they contain another
16 element known as barium, and a third element known as
17 antimony.

18 Q. Are those elements readily available in the
19 environment?

20 A. Individually they are.

21 Q. How about together?

22 A. Together, in -- in the form of particles that
23 have all three of those elements, the only source is
24 primer residue.

25 Q. So, when you see these particles that contain

1 lead, barium, and antimony, is it fair to say there's --
2 the only place that those particles can come from is a
3 gunshot primer?

4 A. That's correct.

5 Q. Now, if the particles get moved around, can I
6 just -- having walked through a room, say, days, months,
7 weeks, after a gun has been fired, can I pick it up on
8 myself?

9 A. There's a possibility of that.

10 Q. And do you -- do you do or are you aware of
11 studies to show the existence of gunshot residue on your
12 average person?

13 A. Not necessarily your average person.

14 Q. Okay. So, what kind of studies have there been
15 to examine gunshot residue and its existence on people?

16 A. The most prevalent studies are the amount of
17 gunshot residue that you can find on police officers
18 that are assigned to desk duty. So, police officers
19 that report to work but are not necessarily involved
20 actively in -- with their service revolvers.

21 Q. And in those studies, what kind -- or what are
22 the levels of gunshot residue found on those officers on
23 desk duty?

24 A. The levels that have been found are on the
25 order of one particle per hundred people.

1 Q. So, in -- when you're doing analysis and you
2 are looking for particles, how many particles does it
3 require to be present on an item in order for you to
4 make a conclusive analysis?

5 A. We -- our procedures require three.

6 Q. How is gunshot residue collected?

7 A. It is -- it's collected using a piece of
8 special tape. That tape is double-sided. So, one side
9 is already affixed to a special aluminum platform that
10 allows one to just dab the other side onto a surface of
11 interest. And then that -- what that does is that sort
12 of keeps people from touching the piece of tape because
13 it's in a special container. And then you can cover it
14 up and submit it to the laboratory for us to analyze.

15 Remember, these are microscopic. They're
16 not -- these particles are not visible to the naked eye.
17 So, whoever does the collecting has to have, you know,
18 some knowledge of why and where to collect.

19 Q. And, I guess, what kind of knowledge is it that
20 you would want someone to know before they go and do a
21 collection?

22 A. The circumstances of things, like what kind of
23 activity had been -- if known, what activities that
24 surface had undergone.

25 Q. And with respect to -- did you do a number of

1 gunshot residue analyses in this case?

2 A. Yes, I did.

3 Q. And the first one I want to talk to you about
4 is the gunshot residue that you conducted on the victim
5 in this case, Henry Joseph Breaux.

6 A. Yes.

7 Q. Now, where does your -- these lifts that you're
8 talking about, the stickies that get submitted to you,
9 where does that come from in a case where you have a
10 decedent who's, say, in the M.E.'s office?

11 A. The procedure of the pathologist service --
12 pathology service is to collect gunshot residue on every
13 gunshot victim, the hands of every gunshot victim.

14 Q. And who does that collection?

15 A. That is done at autopsy.

16 Q. And how is it sent to you?

17 A. Usually it is sent to our laboratory via the
18 agency that is investigating the death.

19 MS. HARVEY: May I approach the witness,
20 Your Honor?

21 THE COURT: You may.

22 Q. (By Ms. Harvey) I am showing you what has
23 already been marked and entered as State's Exhibit 108.
24 This is sealed, but inside we've had testimony that
25 there are -- that there is a gunshot residue kit that

1 was submitted from the M.E.'s office (indicating).

2 A. Yes.

3 Q. And you can verify that by feeling it, yes?

4 A. Yes. I can actually read the labels.

5 Q. And the labels that you're reading, are those,
6 in fact, the gunshot collection disks that you tested?

7 A. Yes.

8 Q. And with respect to the hands of the victim in
9 this case, Henry Joseph Breaux, what did you find in
10 terms of gunshot residue particles, whether they were
11 present or not present?

12 MR. VARELA: We'll object, Your Honor, to
13 denial of confrontation and cross. May I take this
14 witness on voir dire, please?

15 THE COURT: What is your specific
16 objection?

17 MR. VARELA: I've got reports that show the
18 analyst was a Steven Houck and not this witness.

19 THE COURT: You may take the witness on
20 voir dire.

21 **VOIR DIRE EXAMINATION**

22 **BY MR. VARELA:**

23 Q. Doctor, did you conduct the analysis in this
24 case or did Steven Houck of your office?

25 A. The analysis was originally performed by Steven

1 Houck and I reanalyzed it.

2 Q. Did you file a supplemental report?

3 A. Yes, I did, sir.

4 Q. Did you bring a copy of that with you?

5 A. Yes, I did.

6 THE COURT: Your objection is overruled.

7 You may --

8 MR. VARELA: I'll withdraw the objection,

9 Your Honor.

10 THE COURT: Thank you.

11 You may proceed, Ms. Harvey.

12 **DIRECT EXAMINATION**

13 **CONT'D BY MS. HARVEY:**

14 Q. What did you find with respect to whether or
15 not there was gunshot residue particles present on the
16 hands of the victim in this case, Henry Joseph Breaux?

17 A. In this case, there was -- there were two of
18 these lifts that were submitted. One labeled "right
19 hand," one labeled "left hand." The left hand had two
20 particles of gunshot residue.

21 Q. And what does two particles of gunshot residue
22 indicate to you?

23 A. It indicates to me that there's gunshot residue
24 there, but it's not enough for me to say that it's
25 primary -- the primary source was a shooting event.

1 There is a small probability that that was transfer.

2 Q. When you have someone in this case who has been
3 sent to the medical examiner's office with a number of
4 gunshot wounds, is it uncommon to find gunshot residue
5 on a person who has, in fact, been shot?

6 A. No.

7 Q. And why is it -- why is that not uncommon? Why
8 does that not surprise you?

9 A. Well, as I mentioned, the gunshot residue does
10 travel out any breach in the weapon. The main breach
11 where it's going to be traveling is out through the
12 barrel.

13 Q. So, in terms of when the gunshot residue was
14 expelled from the barrel, or wherever, that residue then
15 could have been transferred on him that way, correct?

16 A. Yes.

17 Q. And that's not indicative that he was handling
18 a gun?

19 A. No.

20 Q. Did you also test a number of clothing items in
21 this case?

22 A. Yes.

23 Q. And I would like to start with the pajama shirt
24 and pajama pants, which are -- they have already been
25 entered as State's Exhibits No. 113 and 205. The

1 contents of these bags were previously admitted. And
2 inside the bags, what is the clear vial with the brown
3 top (indicating)?

4 A. This is an example -- this is a specific lift
5 that was used for that item of clothing.

6 Q. And is that the lift that you tested?

7 A. Yes, it is.

8 Q. In State's Exhibit No. 25, again, the contents
9 which have been already admitted and present inside is
10 another one of those clear vials with a brown top. Can
11 you identify that for us (indicating)?

12 A. This is also a lift that was used for the
13 pants.

14 Q. Okay. And with respect to both the pajama
15 shirt and the pajama pants, what did you determine in
16 terms of the presence or absence of gunshot residue?

17 A. There were no particles of gunshot residue
18 found on either item.

19 Q. Now, there has previously been testimony that
20 the pajama pants were wet in a pile of clothing when
21 they were found. How would wet pants affect gunshot
22 residue, if at all?

23 A. It would have an effect that it could possibly
24 reduce the number of particles, if there were any
25 particles there to begin with.

1 Q. What if the pants were placed in water before
2 the gunshot residue lift was taken?

3 A. Again, that is a significant activity. And
4 activities reduce the number of particles that can be
5 recovered, if they're there to be recovered in the first
6 place.

7 Q. Secondly, I'd like to look at the socks and the
8 white blouse that were recovered in this case. And
9 those have previously been admitted as State's Exhibits
10 No. 22 and 24. And in State's Exhibit 24, did you test
11 a gunshot residue cylinder as we've seen in the other
12 cases in State's Exhibit 24 (indicating)?

13 A. Yes.

14 Q. And the same with State's Exhibit 22?

15 A. Correct.

16 Q. In both of those instances, what did you
17 determine with respect to whether or not there was
18 gunshot residue present?

19 A. There were two particles on the socks and two
20 particles on the blouse.

21 Q. And what conclusions, if any, can you draw when
22 you find two particles on clothing?

23 A. Again, gunshot residue was present. There is a
24 possibility of secondary transfer.

25 Q. With respect to the towel, and that was State's

1 Exhibit 48, a small white towel that was tested for
2 gunshot residue, what, if anything, did you determine
3 with respect to the towel?

4 A. The towel was positive for gunshot residue. We
5 found 20 particles.

6 Q. Now, hypothetically, if the towel was found on
7 the floor of a closet where a shooting was alleged to
8 have occurred, does the -- does the presence of gunshot
9 residue on that towel surprise you?

10 A. No.

11 Q. Would you expect to have gunshot residue on a
12 towel found in a closet where a shooting was alleged to
13 have occurred?

14 A. Yes. If -- any surface that is exposed to
15 these plumes, it's not surprising that gunshot residue
16 is present.

17 Q. Additionally, did you also test a pair of
18 slippers?

19 A. Yes.

20 Q. And those slippers are in evidence as State's
21 Exhibit 73. Are those, in fact, the slippers that
22 the -- did you test a vial, a gunshot residue collection
23 kit from these slippers?

24 A. Yes, I did.

25 Q. And what did you find with respect to gunshot

1 residue present on the slippers?

2 A. Again, I found there were 13 particles on that,
3 a positive result.

4 Q. And what conclusion, if any, can you draw from
5 13 particles on the slippers?

6 A. That it most likely resulted from a primary
7 association with a shooting event.

8 Q. And when you say "a primary association with a
9 shooting event," can you tell us in layman's terms what
10 that means?

11 A. That means that it was directly exposed to a
12 plume or a surface that had been exposed to a plume
13 without any intervening steps.

14 Q. So, the slippers were present when the gun went
15 off?

16 A. Not necessarily. They could have been touched
17 immediately after a gun went off.

18 Q. With respect to the gunshot residue collection
19 from the hands of Marcia Dinanno, I want to show you
20 that. It has been admitted into evidence as State's
21 Exhibit 110. And inside this white envelope, again, we
22 find a number of vials (indicating).

23 A. Yes.

24 Q. What are these vials?

25 A. These vials are -- it's a -- it represents

1 three samples taken from the subject, from the right
2 hand, the left hand, and then one is labeled as a
3 control.

4 Q. And what is -- what is the control?

5 A. The control is meant to be one that is known to
6 be negative.

7 Q. And when we talk about the collection, the
8 sticky surface is the bottom side of the cap?

9 A. If you remove the clear plastic cap, it will
10 expose -- you can do it. It's not going to really have
11 much of an effect. Yeah. The little black -- that
12 looks like a "D," but that's a piece of tape that is
13 used. You just take that and dab the surface of
14 interest.

15 Q. And with respect to the hands of the defendant,
16 Ms. Dinanno, what did you find in terms of gunshot
17 residue present?

18 A. In this particular case, there were five
19 particles on the left hand.

20 Q. And what conclusion, if any, can you draw with
21 respect to five particles of gunshot residue being
22 present on her left hand?

23 A. The presence of those particles most likely
24 resulted from either firing a weapon, being in close
25 proximity to a fired weapon, or handling a weapon that

1 was recently fired.

2 MS. HARVEY: Pass the witness.

3 THE COURT: Mr. Varela.

4 MR. VARELA: Yes.

5 **CROSS-EXAMINATION**

6 **BY MR. VARELA:**

7 Q. Doctor, did you bring a copy of your own
8 personal reports with this --

9 A. Yes, sir.

10 Q. -- analysis with you today?

11 MR. VARELA: Move for production under
12 Gaskin, Your Honor.

13 THE COURT: Granted.

14 MR. VARELA: Thank you, ma'am.

15 May I have a minute, Your Honor?

16 THE COURT: You may.

17 (Pause)

18 MR. VARELA: Okay. May I inquire, Your
19 Honor?

20 THE COURT: You may.

21 Q. (By Mr. Varela) Doctor --

22 A. Yes, sir.

23 Q. -- you also had, previous to your analysis, an
24 analysis of the same items done by Steven Houck. Did I
25 pronounce his name correctly?

1 A. Houck, yes.

2 Q. Houck?

3 A. Houck.

4 Q. Have you seen his reports with his results?

5 A. Yes, I have.

6 Q. And in some cases notably the measurement of
7 the number of particles --

8 MS. HARVEY: Your Honor, I'm going to
9 object to the introduction of a prior report. This is
10 why we had it retested.

11 THE COURT: Sustained.

12 You can rephrase your question.

13 Q. (By Mr. Varela) Does it surprise you that an
14 analysis conducted by your office at one time of the
15 same item could differ from an analysis conducted at
16 another time?

17 A. No, it does not.

18 Q. Okay. So, you expect varying results testing
19 the same item, correct?

20 A. There will be variances in the numbers, yes.

21 Q. You're looking at very small elemental
22 particles, correct?

23 A. Yes, sir.

24 Q. You're looking for lead atoms, right?

25 A. Not atoms. You're looking for particles that

1 contain the three elements, lead, barium, and antimony.

2 Q. So, all three atoms have to be present for you
3 to call it gunshot residue?

4 A. Elements. All three elements.

5 Q. Yes. Where you see a particle and it contains
6 a barium atom, a lead atom, and an antimony atom, then
7 you say that's particle of gunshot residue, correct?

8 A. That's correct, sir.

9 Q. All right. And these little items are not
10 visible even with an optical microscope, correct?

11 A. That's correct.

12 Q. You have to use a scanning electron microscope
13 to find them?

14 A. Yes, sir.

15 Q. And there's no way that you can look at an item
16 with either the naked eye or the eye assisted by an
17 optical device and tell you're in the presence of
18 gunshot residue, correct?

19 A. That's correct.

20 Q. The things that make up gunshot residue are
21 simply too small?

22 A. Yes.

23 Q. So, an electron microscope focuses a beam of
24 electrons, does it not?

25 A. Yes, that's correct.

1 Q. When that beam of electrons hits something that
2 you're looking for, it gives you a readout that you're
3 finding what you're looking for, right?

4 A. It alerts us to the fact that something may be
5 present that's worth looking at again.

6 Q. Right. But you can't -- the beam of electrons
7 doesn't help your eyes to see anything, it's just simply
8 too small?

9 A. Right.

10 Q. Okay. Let's talk about guns for a second. You
11 have studied what happens when guns are fired, correct?

12 A. Yes, sir.

13 Q. Have you ever fired a gun?

14 A. No, sir.

15 Q. You've never fired a firearm?

16 A. Nope.

17 Q. Okay. Well, let's just talk about it. Let's
18 talk about Exhibit No. 66, which is in evidence. The
19 room is kind of crowded. I'm going to try to point it
20 in a direction where nobody is. When a firearm is
21 fired, a number of things come out the end of the
22 barrel, correct?

23 A. Yes, sir.

24 Q. I guess generally most importantly a bullet
25 comes out the end of the barrel or a projectile?

1 A. Yes, sir.

2 Q. And that bullet is made out of typically a lead
3 core?

4 A. Yes.

5 Q. It may be an all-lead bullet, correct?

6 A. It could be.

7 Q. It could be a bullet that's partially jacketed
8 with a copper jacket?

9 A. Yes, sir.

10 Q. Or some other jacketing material, correct?

11 A. Yes.

12 Q. Or it could be a lead core that's totally
13 enclosed by a jacket, right?

14 A. Yes, sir.

15 Q. But the lead in the gunshot residue you're
16 looking for doesn't come from the bullet, right?

17 A. It may not.

18 Q. It comes primarily from the primer, correct?

19 A. Yes, sir.

20 Q. Let's talk about the primer. When you have a
21 case, a cartridge, obviously the bullet is at one end of
22 the cartridge, right?

23 A. Yes, sir.

24 Q. And at the other end of the cartridge, there's
25 that little round thing in the head of the cartridge,

1 right?

2 A. Yes.

3 Q. And that's the primer?

4 A. The primer is contained within that, yes.

5 Q. Right. We call that the primer. And the
6 priming compound is within that?

7 A. Yes.

8 Q. And the primer, I guess -- you know
9 approximately how an automobile engine works, don't you?

10 A. Yes, sir.

11 Q. In a cylinder in a car, you have a fuel-air
12 mixture, but it has to be ignited by something, correct?

13 A. That's correct.

14 Q. That would be a spark plug?

15 A. In the case of a gasoline engine, yes.

16 Q. We are talking about an ordinary passenger car
17 with a gasoline engine. You have a spark plug that
18 provides an electric spark that provides heating that
19 lights that fuel-air mixture, correct?

20 A. Yes.

21 Q. Well, in this situation, you have a cartridge
22 that's full of what we call smokeless gunpowder, right?

23 A. Some propellant.

24 Q. Okay. The propellant is hard to light on its
25 own, harder than some other types of compounds?

1 A. Well, I wouldn't expose it to a match.

2 Q. Yeah, but --

3 A. It will burn. If I had it out in a ditch on
4 this surface, it would be benign.

5 Q. Right. So, you have to have that match to get
6 that powder going, correct?

7 A. Yes.

8 Q. And the little primer provides the -- I guess,
9 the initial flame or the spark, so to speak, that gets
10 that propellant burning?

11 A. Yes.

12 Q. And it's the component that causes the lead,
13 the barium, and the antimony to be found where a gun is
14 firing?

15 A. That's correct, sir.

16 Q. Okay. So, let's talk about this. When a
17 firearm is fired, in addition to that bullet coming out
18 the end of the barrel, other things come out the end of
19 the barrel, too?

20 A. Yes.

21 Q. There's a quantity of a very hot, rapidly
22 expanding gas?

23 A. Yeah.

24 Q. There is always particles of unburned powder?

25 A. Yes.

1 Q. There is also a very fine ash that comes out
2 that's completely burned powder, correct?

3 A. In some instances, yes.

4 Q. And then all that is mixed up with this gunshot
5 residue from the little primer, right?

6 A. Yes.

7 Q. Okay. Finding gunshot residue on a person or
8 object -- let's talk about people first. Finding
9 gunshot residue on a person doesn't mean that person has
10 just fired a gun, right?

11 A. That's correct.

12 Q. The more particles you find in a given test,
13 that increases the probability that that person was
14 holding a gun when it was fired --

15 A. No.

16 Q. -- correct? No?

17 A. No.

18 Q. Then how does it work?

19 A. The number has to do with whether or not -- the
20 statistics are whether or not there was -- you were
21 within that area where the residue found itself after
22 the shooting event. So, it's -- it's the primary
23 association with the event. It's not the firing of the
24 weapon. It's being near the weapon. The test will
25 never say that a person fired the weapon --

1 Q. Right.

2 A. -- statistically or otherwise.

3 Q. So, when I have gunshot residue on my hand, I
4 could have gotten it from firing this weapon, correct?

5 A. That's one way of doing it.

6 Q. I could have gotten it from handling a firearm
7 that had recently been fired several times?

8 A. Yes, sir.

9 Q. Obviously, cleaning a weapon would release some
10 of these particles that are still within the firearm,
11 correct?

12 A. Yes, sir.

13 Q. I'd get that on my hands?

14 A. Yes, sir.

15 Q. In fact, cleaning a firearm, normally people
16 like run patches soaked with fluid down the chamber,
17 down the barrel, and down these cylinder chambers,
18 correct?

19 A. I -- it's beyond my purview. I don't own a
20 weapon or fire a weapon, but if that's how people clean
21 them, that's how they clean them.

22 Q. You don't know how people clean weapons?

23 A. No.

24 Q. If they clean them with that sort of method, by
25 wiping it out inside and out, that would release some

1 more of these particles, correct?

2 A. Yes, sir.

3 Q. And if I, say, took this gun which had been
4 fired several times and rubbed it on my clothes, that
5 would transfer a quantity of these gunshot residue
6 particles onto my clothes, right?

7 A. It could.

8 Q. As well as my hand?

9 A. Yes, sir.

10 Q. So, that's why no examiner will say: Oh,
11 there's gunshot residue on X's hand; therefore, X fired
12 a firearm. Correct?

13 A. Correct.

14 Q. You just can't say that.

15 You could get -- you could get a transfer
16 from one person to another of gunshot residue particles,
17 couldn't you?

18 A. You can.

19 Q. If I fire a gun several times and then shake
20 your hand, there could be a transfer?

21 A. Yes, sir.

22 Q. If I pat you on the back, that could be a
23 transfer?

24 A. Yes, sir.

25 Q. It could be transferred from, say, an article

1 of clothing to another article of clothing?

2 A. Yes, sir.

3 Q. Let's talk about houses. If a house has no
4 guns in it, it's a fair statement to say it's probably
5 not going to contain any gunshot residue, right?

6 A. Yes, sir.

7 Q. As a general rule that would be true?

8 A. Yes, sir.

9 Q. If you had a household where guns are fired,
10 stored, cleaned, that would increase the possibility
11 that certain surfaces would contain gunshot residue?

12 A. Yes, sir.

13 Q. And gunshot residue doesn't evaporate or go
14 away on its own, does it?

15 A. That's correct.

16 Q. If you -- let's say this State's Exhibit 66 was
17 covered with gunshot residue. And I've been kind of
18 putting it on surfaces here. If this surface wasn't
19 cleaned, we could come back in a year, swab it, and,
20 quite likely, you'd find those particles still there,
21 correct?

22 A. If there were particles on that item when it
23 was placed on that surface --

24 Q. Right.

25 A. -- in the beginning, yes.

1 Q. They'd still be there, wouldn't they?

2 A. They could be.

3 Q. And, obviously, up the scale. If you had a
4 household where -- are you aware that some people reload
5 their own ammunition?

6 A. Yes, sir.

7 Q. They actually take that fired case, replace the
8 primer, put powder in it, and put the bullet in and fire
9 it again?

10 A. Yes, sir.

11 Q. It's a little more complicated than that, but
12 that's the basic operation, right?

13 A. Yes, sir.

14 Q. Now, that would release a whole lot of gunshot
15 residue around a house or a workshop where all that
16 occurred, correct?

17 A. Yes, sir.

18 Q. You'd have a large quantity of fired cases
19 being handled, cleaned, manipulated; you'd have expired
20 primers kicked out of the case in quantity. That would
21 just release a number of particles of this stuff, right?

22 A. Yes, sir.

23 Q. Okay. Now, Mr. Breaux had gunshot residue on
24 his left hand, correct?

25 A. That's what we found.

1 Q. Certain particles on his hand?

2 A. Yes, sir.

3 Q. You can't say how they got there, can you?

4 A. No, sir.

5 Q. He could have fired a weapon?

6 A. Yes.

7 Q. He could have been present and his hand in
8 close proximity to a weapon as it was fired?

9 A. Yes.

10 Q. He could have come into contact with any kind
11 of surface that had gunshot residue on it, correct?

12 A. Yes.

13 Q. Either while he was alive or after he was dead,
14 right?

15 A. Correct.

16 Q. Now, gunshot residue, we've already said
17 about -- what -- four times is a very small particle?

18 A. Yes, sir.

19 Q. When it's fired out of a gun, the gunshot
20 residue doesn't go as far as the bullet, correct?

21 A. No.

22 Q. The bullet can go anywhere from hundreds of
23 yards to a mile out of a gun, correct?

24 A. A long distance.

25 Q. But we're not talking about those kind of

1 distances with gunshot residue?

2 A. No, sir.

3 Q. Typically only a few feet out the end of the
4 barrel and it basically slows down and becomes a part of
5 the atmosphere, right?

6 A. Tens of feet.

7 Q. Right. But you wouldn't expect to see across a
8 large room gunshot residue to be transported
9 immediately?

10 A. No.

11 Q. If I fired a gun from that corner of that room,
12 a pistol, you would not expect gunshot residue to be
13 found on that opposite wall, correct?

14 A. Probably not.

15 Q. But in an area -- let's say, in this area like
16 where these clerks are working, it wouldn't shock you at
17 all to find some element and particles of gunshot
18 residue in that area?

19 A. That's correct, sir.

20 Q. And these things being very small particles can
21 remain airborne for quite some time, correct?

22 A. That's not certain.

23 Q. Well, you can compare it to a very fine dust
24 that remains airborne, right?

25 A. They're very dense.

1 Q. So, they're going to sink out of the
2 atmosphere?

3 A. Yes.

4 Q. But they don't fall as fast as a big bullet or
5 a buckshot made out of lead or that kind of thing,
6 correct?

7 A. I don't know if -- there are -- it's a tough
8 question --

9 Q. It is, yeah.

10 A. -- to answer because, I mean, they -- yes, they
11 do have some -- we call it buoyancy because of their
12 size. They'll get caught up in air currents that keep
13 them from falling, you know, as if this pen were
14 falling. It's not that (indicating). So, they're
15 caught up in these air currents, but it would be -- I
16 guess the best analogy would be if you -- I remember as
17 a kid I did have an airgun that we used to put -- stick
18 it in the dirt and you get a clump of dirt in the end
19 and you shoot the clot of dirt. And the dirt would go,
20 but the little pieces of dirt would go further and would
21 get in your friend's eye and you'd get in trouble for a
22 week, but the big clot would fall quickly. So, that's
23 the way I look at it.

24 Q. Okay. You found spots of what you considered
25 to be five particles on Marcia Dinanno's hand, correct?

1 A. Yes, on that stub. Yes.

2 Q. And you found 13 particles on slippers?

3 A. Yes, sir.

4 Q. Now, the slippers. Let's talk about shoes.

5 Okay? We talked about what, guns, houses. Now we'll
6 talk about shoes. If you'll look at me, you'll see I'm
7 wearing a pair of shoes. If I stand here and fire that
8 revolver, like State's Exhibit 66, some gunshot residue,
9 you would expect, would precipitate out of that
10 revolver, through the atmosphere, and fall on the shoes?

11 A. Yes, sir.

12 Q. All right. Now, let's suppose I am not
13 standing in the shoes, but there's a pair of shoes in
14 front of me and I fire that same revolver. That same
15 process may take place onto the slippers, correct?

16 A. Yes, sir.

17 Q. You can't tell if the person who was firing the
18 gun was standing in the slippers or not?

19 A. That's correct.

20 Q. Okay. All you know is that a gun was,
21 apparently, fired near the slippers and gunshot residue
22 fell down onto the slippers?

23 A. Yes, gunshot residue was on the slippers.

24 Q. Right. Or the gunshot residue was precipitated
25 onto the slippers some other way, correct?

1 A. Right.

2 Q. By some means of transfer, other than a direct
3 transfer from a firearm to the slippers?

4 A. Correct.

5 Q. All right. You don't know whether Mr. Breaux
6 was right-handed or left-handed, do you?

7 A. I do not.

8 MR. VARELA: Okay. Pass the witness.

9 **REDIRECT EXAMINATION**

10 **BY MS. HARVEY:**

11 Q. Who is Steven Houck?

12 A. Steven Houck was an employee of the institute.
13 He was a forensic chemist that was assigned to the trace
14 evidence laboratory. He performed gunshot residue
15 analysis and fire debris analysis, as well as drug
16 chemistry analysis. He was originally from West
17 Virginia. He got homesick and went and took a job in
18 Roanoke, Virginia, Department of Forensic Sciences.

19 Q. So, instead of flying him back in from
20 Virginia, did we ask you to retest the testing that he
21 had originally done?

22 A. Yes, ma'am.

23 Q. And, I guess, if you do a retest of something
24 and your analyses and your conclusions come out
25 completely different than the first analysis, what would

1 happen?

2 A. I would issue a report on what I found. And
3 then we'd open up a nonconformity, if it were -- if
4 there were a substantial -- that it changed the report
5 substantially, we would investigate it.

6 Q. And was this case investigated for any level of
7 nonconformity?

8 A. No.

9 MS. HARVEY: Pass the witness.

10 THE COURT: Mr. Varela.

11 **RECROSS-EXAMINATION**

12 **BY MR. VARELA:**

13 Q. Do you know which hand Ms. Dinanno was tested
14 for?

15 A. Which?

16 Q. Which hand? Which hand or both hands was she
17 tested for for gunshot residue?

18 A. The results of gunshot residue were only on one
19 hand, the left hand.

20 Q. Okay. Which hand was that?

21 A. The left hand.

22 Q. Was the right hand also tested?

23 A. There was a stub submitted. It was labeled as
24 "right hand."

25 MR. VARELA: All right. We'll pass the

1 witness.

2 **REDIRECT EXAMINATION**

3 **BY MS. HARVEY:**

4 Q. Would you expect to find more or less gunshot
5 residue on a person after they bathed?

6 A. Less.

7 Q. Would you expect to find more or less gunshot
8 residue on a person after they changed clothes?

9 A. Less.

10 Q. When you talked about the -- the fact that this
11 study was done on police officers who are on desk duty
12 and they were found to have one particle per hundred
13 police officers, how statistically significant is it to
14 find five particles?

15 A. It's very, very large statistically. It's on
16 the order of a billion to -- in the same population of
17 police officers, the odds of finding five on one of
18 those police officers is about a billion to one.

19 Q. When you are talking about transfer and
20 transfer of gunshot residue, would you expect -- if you
21 have source one and a source two onto which it's
22 transferred, when tested, would you expect to find more
23 particles on the original source or the source onto
24 which it was transferred?

25 A. Could you repeat that?

1 Q. Sure. And I'm sorry. It's kind of a bad
2 question.

3 But let's say a gun gets fired and there's
4 gunshot residue on the table. And I walk along and I
5 touch the table. Now we test my hand and the table.
6 Which would you expect to have more gunshot residue on
7 it?

8 A. I can't answer that.

9 Q. In terms of items that have been washed, say,
10 with soap, would you expect to find more gunshot residue
11 on them or less than after -- than before they were
12 washed with soap?

13 A. I would expect -- if it were there to begin
14 with, I would expect less.

15 MS. HARVEY: Pass the witness.

16 MR. VARELA: No further questions, Your
17 Honor.

18 THE COURT: May this witness be excused?

19 MS. HARVEY: Yes, Your Honor.

20 THE COURT: Thank you, Doctor. You may
21 step down and you're excused.

22 Call your next witness, Ms. Harvey.

23 MS. HARVEY: State calls Jill Dupre.

24 THE COURT: Ladies and gentlemen, this
25 witness was sworn outside your presence.