

1 Q. And the bloodstain card that we looked at
2 in State's Exhibit 109, did you open that or test
3 that in any way?

4 A. I did not.

5 Q. And what did you do with it?

6 A. I retained it in the lab for the next --
7 for the DNA analyst to open.

8 Q. Okay.

9 MS. ONCKEN: We'll pass the witness.

10 MR. MARTIN: We have no questions,
11 your Honor.

12 THE COURT: Thank you, ma'am. You can
13 step down.

14 Who's next?

15 MS. ONCKEN: State calls Robin Guidry.

16 THE COURT: Can you raise your right
17 hand, please?

18 (Witness sworn)

19 THE COURT: Have a seat.

20 ROBIN GUIDRY,

21 having been first duly sworn, testified as follows:

22 DIRECT EXAMINATION

23 Q. (BY MS. ONCKEN) Can you please introduce
24 yourself to the jury?

25 A. Good afternoon. My name is Robin Guidry.

1 Q. And how are you employed?

2 A. I am the technical manager of the DNA
3 section of the Houston Police Department crime
4 laboratory.

5 Q. You said you were the technical manager?

6 A. Yes, ma'am.

7 Q. And what does that entail?

8 A. I'm responsible for the technical
9 happenings in the laboratory to make sure we're
10 compliant with national standards and guidelines.

11 Q. And are you also a DNA analyst in addition
12 to those other duties?

13 A. Yes, I am.

14 Q. And can you tell the jury: What kind of
15 education did you have to receive to be able to do
16 what you're doing today?

17 A. I have a Bachelor of Science Degree from
18 Loyola University of New Orleans. I also have a
19 Master of Science from the University of Florida in
20 forensic serology and DNA.

21 Q. And after getting your Master's, what was
22 your first job?

23 A. Well, I was initially employed by the New
24 Orleans Police Department crime laboratory. There I
25 conducted conventional serology where we did tests

1 like blood typing. I also did blood alcohol
2 analysis. From there I went to Reliagene
3 Technologies, which is a private DNA laboratory in
4 New Orleans, a forensic DNA laboratory. I was there
5 for almost two years when I came here to Houston to
6 Identigene, which was another private forensic DNA
7 laboratory. Identigene was acquired by a laboratory
8 in Salt Lake City in June of 2008. And it was in
9 2008 that I came on to the Houston Police Department.

10 Q. And how long have you been here
11 specifically at the Houston Police Department doing
12 DNA analysis?

13 A. It's about three and a half years.

14 Q. And how long have you been doing DNA
15 analysis in total?

16 A. It is over 11 years.

17 Q. Okay. You also mentioned the accreditation
18 board or something to that effect; is that correct?

19 A. I did.

20 Q. Okay. And is the H.P.D. lab what we call
21 "ASCLD accredited"?

22 A. We are accredited by the American Society
23 of Crime Laboratory Directors Laboratory
24 Accreditation Board.

25 Q. Okay. And what exactly does that mean?

1 A. For a laboratory to be accredited, an
2 outside agency will come into our laboratory. They
3 will review our policies and procedures to make sure
4 that they are compliant with the national standards
5 and guidelines, but they also come into our lab and
6 examine our case files to make sure that we follow
7 our policy. It's one thing to have a good policy.
8 It's another thing to follow your policy. In
9 addition to looking at case files, they look at
10 educational records to make sure we're all competent
11 to do our jobs. They look at the security of the
12 laboratory, that sort of thing. In addition to that,
13 we must participate in what's called "proficiency
14 tests" twice a year. And this is a way for the
15 accrediting agency to ensure that when they're not
16 around we're still producing accurate and reliable
17 results.

18 Q. And the lab is accredited, correct?

19 A. Yes, ma'am. Yes.

20 Q. All right. I want to go ahead and turn
21 your attention to a case that you worked on in, I
22 believe, 2010. And do you-all organize your cases in
23 accordance with the H.P.D. case number?

24 A. Yes, we do.

25 Q. Okay. In other words, if an officer

1 submits a request and says, "Please test this in this
2 case of mine," then you'll have the same case number
3 as that officer did; is that right?

4 A. We do now, yes.

5 Q. Okay. Right. Before, it was a little bit
6 more complicated, wasn't it?

7 A. Right. The laboratory would have a unique
8 lab number that was associated with the H.P.D.
9 incident number. But since about 2010, we've started
10 just using that incident number.

11 Q. And, so, I want to ask you specifically
12 about H.P.D.'s Case Number 45698410-S, like Sam. Did
13 you do some DNA analysis in relation to that case?

14 A. Yes, I did.

15 Q. Okay. And was it a H.P.D. homicide officer
16 that made that request?

17 A. May I refer to my notes?

18 Q. Please do.

19 A. Well, the request was made or submitted by
20 an Officer Avila and Officer Delacruz.

21 Q. Okay.

22 A. I'm not sure if they're homicide detectives
23 or not.

24 Q. Okay. That's fair enough. And I guess
25 that's a good question, too. Unlike TV, are you

1 going to the crime scene?

2 A. We do not.

3 Q. Okay. So, you are strictly your portion of
4 the story and only that, correct?

5 A. Yes, ma'am.

6 Q. Just the DNA. What were the objects or the
7 items from which you were trying to obtain a DNA
8 profile?

9 A. I conducted DNA analysis on two items of
10 evidence, first being Item 3.1.1.1, which is a
11 portion of a red-brown stain from a baby shirt. The
12 second item of evidence was Item 3.2.1.1, portion of
13 a red-brown stain from a towel. I also tested a
14 known sample, which was Item 4.1, portion of known
15 bloodstain card from Kamron Kelly.

16 Q. Okay. Now, I asked about obtaining a DNA
17 profile. And I sort of made that assumption. Can
18 you tell the jury: Why are you looking for a
19 profile, an unknown profile, in an item like this?

20 A. Well, the DNA testing that we employ in our
21 forensic lab is human identification testing. We can
22 try to obtain a DNA profile from an item of evidence;
23 and if we do get a profile, we can compare it to a
24 known sample to see if they came from the same
25 individual or not.

1 Q. And, so, what would then be the purpose of
2 obtaining the blood from the deceased baby in this
3 case?

4 A. Well, without a reference to compare it to,
5 we just have a DNA profile and we can't really draw
6 any conclusions except we can tell you the gender of
7 that donor. We can say if it's male or female. And
8 we could also tell you if it's one or more
9 individuals present.

10 Once we have something to compare it
11 to, we can say it is or is not from that individual.
12 But without a reference, a DNA profile is somewhat
13 meaningless.

14 Q. And then the 3.1.1.1, why are your numbers
15 designated that way?

16 A. Let's see. The --

17 Q. In other words, do you start with -- is the
18 original item, like, the T-shirt?

19 A. Correct.

20 Q. And then do you keep going down from there?

21 A. Correct. So, I guess, the shirt and the
22 towel came from the original package. And, so, the
23 shirt was designated 3.1 and the towel 3.2. The
24 first item from the box, second item from the same
25 box. Then the screening analyst identified six

1 individual stains. And, so, those were given a
2 unique number, 3.1.1, 3.1.2, and so on through 3.1.6.
3 Then from the stain, we took a portion of that to do
4 DNA testing. So, my portion has a unique sub number
5 from that original parent item. So, from 3.1.1, the
6 stain, my portion is 3.1.1.1. It's a way to ensure
7 that each stain is uniquely identified.

8 Q. Okay. It's late on a Friday; but I'm going
9 to ask you to explain for the jury in laymen's terms,
10 if you can, the steps of the DNA analysis that you
11 would have conducted.

12 A. Sure. Very basically it's four basic
13 steps. The first one, we're extracting the DNA. At
14 this step we're trying to separate the DNA from the
15 cells that contain it. So, in this case, if it's a
16 blood cell, I'm trying to remove the DNA from the
17 cell and also remove the DNA from the item that it
18 was on. So, in this case, the shirt or the towel.
19 What I want to end up with is a very pure form of
20 DNA.

21 The next step is to amplify the DNA.
22 In this step we are making millions and millions of
23 copies of very specific regions of the DNA. For our
24 purposes, we're not interested in the DNA that is the
25 same from human to human. We only focus on those

1 regions of DNA that vary from person to person. So,
2 we make millions and millions of copies of just those
3 regions.

4 Q. And how many regions are there?

5 A. We look at 15 regions plus amelogenin,
6 which is the sex determining marker. So, that marker
7 that says male or female. So, 15 regions that could
8 vary from person to person.

9 The next step is to load that
10 amplified or copied DNA onto what's called a "genetic
11 amplifier." Very simply this is an instrument that
12 separates the DNA fragments; and with the assistance
13 of analysis software, it converts that information,
14 that electrical information, into essentially a
15 graph, something that I can visualize and do my
16 comparisons.

17 So, when I have an evidence sample
18 that does yield a DNA profile, I can compare it to a
19 known sample and try to determine if they are from
20 the same origin. So, that's the fourth step, where
21 I'm interpreting the profiles.

22 If my conclusion is that the evidence
23 and the reference could have come from the same
24 individual, I will then perform a statistical
25 analysis to give a meaning to that match. How common

1 is this profile. And that's the statistic that we
2 would also provide to let the reader of the report
3 know that not only do they match, but it is extremely
4 rare and so rare that it's unlikely that it came from
5 someone other than the person I've compared it to.

6 Q. Okay. And did you perform that complete
7 five-step analysis on both the towel and the baby
8 shirt?

9 A. I did, but on one stain each from the towel
10 and the baby shirt.

11 Q. Okay. Now, let's talk about 3.2, the
12 towel. Did you determine whether or not -- or were
13 you able to find a DNA profile?

14 A. Yes. On the Item 3.2.1.1, the portion of
15 red-brown stain from towel, I obtained a full single
16 source male DNA profile. What that means by full is
17 I got results everywhere I tested. Sometimes the
18 samples are degraded or there's not a lot of it. So,
19 we might get partial results. But in this case there
20 was enough good quality DNA for me to get a full
21 profile.

22 By single source I mean there's
23 evidence of only one contributor. There's not a
24 second person also there. And then male, of course,
25 means it came from a male individual.

1 Q. Okay. And when you -- obviously you had
2 the victim's blood. And, so, you had a known profile
3 for the victim, correct?

4 A. Yes, ma'am.

5 Q. Okay. And then did you do the comparison
6 between the two, the profile that you found on the
7 towel and the victim's blood?

8 A. I did.

9 Q. And what did you determine?

10 A. The profiles were consistent with one
11 another. So, my conclusion was that Kamron Kelly
12 could not be excluded as the DNA donor to the stain
13 from the towel.

14 Q. Okay. And then did you conduct the fifth
15 step, the statistical analysis?

16 A. I did. So, using the F.B.I.'s database on
17 frequencies of these genetic markers, I determined
18 the approximate frequency of this specific profile.
19 That frequency is approximately one in 95 septillion
20 for Caucasians, one in 320 quintillion for African
21 Americans, one in 380 quintillion for Southeast
22 Hispanics, and one in 2.6 octillion for Southwest
23 Hispanics.

24 Q. Now, you said that you get statistics from
25 the F.B.I.?

1 A. We use their database, yes.

2 Q. Okay. And what is that for exactly?

3 A. The F.B.I. has collected data. They have
4 tested people's DNA profiles and determined how
5 common a particular marker is. And, so, we use those
6 frequencies in the calculation of this overall
7 profile.

8 Q. And, so, why do you split it up between the
9 different races or ethnic groups?

10 A. Well, we tend to vary somewhat genetically
11 based on our race. And, so, alleles might be more or
12 less common in one race compared to another race.

13 Q. Okay. And, so, is that common in every
14 case that you will make a statistical analysis for
15 each of those different groups?

16 A. Yes. We don't consider the race of the
17 person we're comparing it to. We just -- we make no
18 assumptions about the evidence. So, we're going to
19 provide all the races available.

20 Q. Okay. And, so, if you have information
21 that the baby, the victim in this case, was of
22 African American origin, should we look specifically
23 at these numbers?

24 A. In my opinion, no. I mean, again, we're --
25 we don't consider the person who we believe it came

1 from. We just -- the frequency of this particular
2 profile, this evidence, is these different
3 frequencies of these different races.

4 Q. Okay. And you used numbers that are kind
5 of unbelievable to me. When you say "95 septillion,"
6 how many zeros are after the 95?

7 A. Twenty-four zeros.

8 Q. Okay. And then quintillion, is that five?
9 Help me.

10 A. You would think five, but it's not. It's
11 actually -- it's 18 zeros.

12 Q. Okay. And the likelihood -- and explain to
13 us again how you -- if you say "one in 95
14 septillion," that means only one person in 95
15 septillion is going to have that same DNA profile; is
16 that right?

17 A. Correct. What the frequency is trying to
18 do is give meaning that this is an incredibly rare
19 profile. And, again, one out of approximately 95
20 septillion people would have this profile. So, we
21 have found one person that matches it. To find
22 someone else, other than an identical twin, we would
23 have to likely go through 95 septillion individuals.

24 Q. And one in 320 quintillion people in the
25 African American community have that same DNA

1 profile. So, again, that's going to be 320 plus
2 another 18 zeros?

3 A. Yes, ma'am.

4 Q. Okay. And are there 320 quintillion people
5 on the planet?

6 A. There are not. Currently we are
7 approaching about 7 billion.

8 Q. Okay. So, it's basically saying only one
9 person on this planet has that profile?

10 A. Basically, yes, at this time.

11 Q. Okay. Next, the baby shirt. How many
12 profiles were you able to find on the shirt?

13 A. On the shirt I obtained or I did test one
14 item, 3.1.1.1, portion of red-brown stain from baby
15 shirt. In this particular sample, I did get a full
16 DNA profile, but it was a mixture, meaning there was
17 more than one contributor. However, one contributor
18 gave more DNA than the other. So, there's more DNA
19 from one individual. I could treat that as a major
20 component and distinguish it from a minor component.
21 So, the major component of the mixture obtained from
22 the baby shirt was also consistent with Kamron
23 Kelly's DNA profile.

24 Q. Okay. And then at the beginning -- well,
25 when you're doing the testing, you only have one

1 known profile, the infant, correct?

2 A. Yes, ma'am.

3 Q. Okay. So, now you have at least one other
4 person contributing their DNA on the shirt?

5 A. Correct.

6 Q. Okay. But at this point, has it been
7 submitted to you any suspect DNA?

8 A. No, ma'am.

9 Q. Okay. And is that the end of your portion
10 in this case?

11 A. It is.

12 Q. Okay.

13 A. Yes.

14 Q. And do we have, I guess, the quantification
15 on the DNA from the victim on the shirt? Is it
16 similar to the numbers that you got on the towel?

17 A. The exact same actually because it's the
18 same profile. The major component is the same as
19 that single source profile.

20 Q. Okay.

21 MS. ONCKEN: Pass the witness.

22 CROSS-EXAMINATION

23 Q. (BY MR. MARTIN) Do you guys have a name for
24 every zero from a trillion on to 27 zeros?

25 A. Well, are you asking, like, million,

1 billion, trillion?

2 Q. Yes. You said 27 zeros is one of those.

3 A. Yes.

4 Q. Do you have one for the 26th zero below
5 that?

6 A. One? Is that what you're asking?

7 Q. Do you have a name for every zero? You
8 went from billion to trillion. Then you've got --

9 A. Well, there's, you know, tens, then
10 thousands, then millions, then billions, trillions,
11 quadrillions.

12 Q. And you have a name for every zero up to 27
13 zeros after that?

14 A. Yes. And I believe it goes higher. I'm
15 just not aware of them.

16 Q. Neither am I.

17 MR. MARTIN: All right. I'll pass the
18 witness.

19 THE COURT: Thank you, ma'am. You can
20 step down.

21 THE WITNESS: Thank you.

22 THE COURT: Who's next?

23 MS. ONCKEN: Priscilla Ancira Hill.

24 This is the last one.

25 THE COURT: You can stand up and

1 stretch.

2 MS. ONCKEN: Actually, before Ms. Hill
3 takes the stand, I just realized -- my co-counsel --
4 there's one thing that I forgot to admit through
5 Ms. Guidry that I --

6 THE COURT: Do you need to recall her?

7 MS. ONCKEN: Just briefly.

8 THE COURT: Ms. Ancira, you want to
9 wait outside real quick?

10 She forgot something. Have a seat.
11 You're still under oath.

12 MS. ONCKEN: May I approach?

13 THE COURT: Yes, ma'am.

14 REDIRECT EXAMINATION

15 Q. (BY MS. ONCKEN) My co-counsel showed me
16 that I forgot a few items I wanted to introduce,
17 State's Exhibit 114, 115, and 116. And do you
18 recognize these three items?

19 A. Yes, I do.

20 Q. And how do you recognize them?

21 A. Two of them are the notes from the
22 screening analyst that were in my case file. Exhibit
23 116 is a notation that I created.

24 Q. Okay. 114 and 115, would those have come
25 from Ms. Schoonover then?

1 A. Yes, ma'am.

2 Q. Okay. And basically we're just looking at
3 photographs of the items and the notes that
4 correspond to the items; is that correct?

5 A. Yes, ma'am.

6 Q. Okay. And then on 116, those are your
7 notes?

8 A. Yes, that's correct.

9 Q. Okay. And we're looking at the bloodstain
10 card envelope that contained Kamron Kelly's blood; is
11 that correct?

12 A. Yes, ma'am.

13 Q. Okay. And did someone in your laboratory
14 take each of these photographs?

15 A. I took the bloodstain card photograph
16 because I noted a discrepancy. So, I wanted to
17 document in the case file --

18 Q. And hold on. When you say you noted a
19 discrepancy, with what kind of number was it?

20 A. The M.L. number associated with this item,
21 the outer envelope was -- it appeared someone
22 initially wrote M.L.10-00977 and then crossed out to
23 say 0997. But the inside actual bloodstain card
24 still had the M.L.10-0977. So, I wanted to photo
25 document it just to note that discrepancy.

1 Q. Okay. Just to cover yourself that someone
2 had switched a number around --

3 A. Correct.

4 Q. -- accidentally? Okay. All right. And
5 then did you or someone in your lab send us these
6 documents to be able to print out for the jury's use,
7 if it could be helpful?

8 A. Yes. I believe I sent you the one from my
9 notes, and then I believe Shauna e-mailed you hers.

10 MS. ONCKEN: Okay. State offers 114
11 through 116 and tenders to counsel for any objection.

12 MR. MARTIN: We have no objections,
13 Judge.

14 THE COURT: Being no objection,
15 State's 114 through 116 will be admitted.

16 Q. (BY MS. ONCKEN) All right. Thank you so
17 much. I apologize.

18 MS. ONCKEN: Pass the witness.

19 MR. MARTIN: I have nothing, Judge.

20 THE COURT: Thank you, ma'am. You can
21 step down again.

22 THE WITNESS: Thank you.

23 MS. ONCKEN: The State calls Priscilla
24 Ancira Hill.

25 THE COURT: Raise your right hand,