

1 (Open court, defendant present, no jury)

2 THE COURT: Are we ready?

3 MS. COLLINS: Ready, Your Honor.

4 MR. OLIVER: Defense is ready, Your Honor.

5 (Open Court, jury and defendant present)

6 THE COURT: Call your next witness.

7 MS. COLLINS: Your Honor, at this time the
8 State would rest.

9 THE COURT: State's rested.

10 What says the defense?

11 MR. OLIVER: Your Honor, defense calls Dr.
12 Vincent Miller.

13 (Witness sworn)

14 THE COURT: You may proceed.

15 MR. OLIVER: May it please the Court?

16 **DR. ROGER VINCENT MILLER,**
17 having been first duly sworn, testified as follows:

18 **DIRECT EXAMINATION**

19 **BY MR. OLIVER:**

20 Q. Could you state your name for the record?

21 A. Yes. Dr. Roger Vincent Miller.

22 Q. And could you spell your first and last name
23 for the court reporter?

24 A. R-o-g-e-r. M-i-l-l-e-r.

25 Q. Good morning, Dr. Miller.

1 A. Good morning.

2 Q. Could you tell the jury what your occupation or
3 profession is?

4 A. Yes. I'm the DNA technical leader and chief
5 technical officer for a laboratory that does DNA
6 testing, both relationship and forensic testing, located
7 in Phoenix, Arizona.

8 Q. And what is the name of the company you work
9 for?

10 A. It's Chromosomal Labs Bode Technology.

11 Q. How long have you been working for them?

12 A. I've been working there since 2004.

13 Q. Can you just describe briefly for the jury your
14 responsibilities and duties?

15 A. Yes. As a DNA technical leader, as defined by
16 the FBI quality assurance standards, basically, you have
17 to have a minimum of three years of experience, along
18 with education, including molecular biology, genetics,
19 and human population statistics.

20 Q. Could you describe your educational background
21 to get to that point?

22 A. I have a Ph.D. in plant pathology with
23 expertise in molecular biology earned from Montana State
24 University in 1983, and then several years working in
25 microbial genetics, and then culminating in the

1 establishment of Chromosomal Labs. I was one of the
2 founders in 2004. I became a DNA analyst in 2005 as per
3 the FBI standards. And then once I did my three years
4 of experience in 2008, I became the DNA technical
5 leader, which I still retain.

6 Q. And as part of your work responsibilities, do
7 you have any continuing education responsibilities in
8 the area of DNA testing?

9 A. Yes. As dictated, again, by the quality
10 assurance standards, we have to have a minimum of eight
11 hours. I usually have 12 to 15 hours of continuing
12 education every year.

13 Q. Have you ever testified before as a DNA expert?

14 A. I have testified 45 times as a DNA expert. And
15 of those, 40 of those were for the defense, one was for
16 relationship testing, and four were for the prosecution.

17 Q. And just to be fair, you get paid to be here,
18 right?

19 A. That's correct.

20 Q. And what professional societies or
21 organizations do you belong to?

22 A. I'm a full member of the American Academy for
23 Forensic Science.

24 Q. Dr. Miller, did you prepare a report in this
25 case based on your testing observations?

1 A. We didn't do any testing; but, yes, I did
2 prepare a report.

3 Q. But you did review some materials in order to
4 prepare that report, correct?

5 A. That's correct.

6 Q. Could you tell us what materials you did
7 review?

8 A. We obtained documentation for three reports,
9 one on screening and two on DNA, for this particular
10 case, along with laboratory bench notes, worksheets, and
11 what we call electropherograms, which are the ones that
12 actually showed the peaks that they were looking at to
13 do their analysis.

14 MR. OLIVER: May I approach the witness,
15 Your Honor?

16 THE COURT: You may.

17 Q. (By Mr. Oliver) Dr. Miller, do you see what I'm
18 looking at here (indicating)?

19 A. Yes, sir.

20 Q. Can you tell us what that is?

21 A. This is -- this is the report that I prepared
22 for you.

23 Q. Has that been changed or altered in any way
24 since you sent it to me?

25 A. No.

1 Q. Does it fairly and accurately reflect the
2 report that you prepared?

3 A. Yes.

4 MR. OLIVER: Your Honor, at this time, the
5 defense would offer Defense Exhibit 1, tendering to
6 opposing counsel.

7 **(Defense Exhibit No. 1 Offered)**

8 MS. COLLINS: Your Honor, as you're aware,
9 all of the contents of said report would be hearsay.
10 And that would be my objection.

11 THE COURT: That's sustained.

12 Q. (By Mr. Oliver) Dr. Miller, let's talk about
13 DNA.

14 A. Okay.

15 Q. We covered some of this ground, but I just want
16 you to briefly refresh the jury's mind. What is DNA?
17 What are we talking about?

18 A. Okay. DNA is the -- I like to equate it to
19 like having a hard drive in each one of your cells.
20 Every one of your cells have a full complement of all of
21 your DNA, with the exception of red blood cells, which
22 don't have a nucleus. And egg and sperm, which is half
23 of the complement. But, basically, all of your cells
24 have all of the information to make every cell type in
25 your body. And it turns on and off different types of

1 genes depending on where it's found within the body
2 itself. So, an eye cell will become eye cell, and so
3 forth. It's a compound that was first described or
4 first analyzed and the structure identified in 1953.
5 And since then, we've obviously made tremendous advances
6 with it.

7 Q. Can you describe for the jury how DNA is
8 transferred from individual to individual or individual
9 to surfaces or objects?

10 A. Sure. Any part of the body that has any kind
11 of cells, which would be any type of excretion that the
12 body will make, as well as skin cells, will actually
13 contain DNA. Now, the skin cells themselves that we
14 usually shed don't have nuclei in it and the chromosomes
15 have changed their shape, but there's still sufficient
16 DNA to be able to analyze for it being deposited on
17 various surfaces. So, I equate us to being something
18 like Pig Pen, for those of you that remember Peanuts.
19 We're all kind of just little shedders of DNA. We leave
20 our DNA fingerprint every place that we go. And if
21 you've touched a surface in here, such as the chair,
22 your DNA has been deposited to that surface.

23 Q. And what are the methods used to distinguish
24 DNA from different individuals?

25 A. Okay. What we do, basically, is we make copies

1 of DNA. And we have -- right now it's usually -- 13 is
2 what is mandated by the FBI. We usually do 15. Most
3 laboratories will do 15 total, plus one that will tell
4 if it's a boy or girl. And the way you look at that is
5 that we make this very specific area where people vary.
6 And by that I mean, at a given place you and I might
7 have the same signature, but at a different place we'll
8 differ. So, again, it's like a fingerprint. We may
9 have the same whirl in one spot, but in a different spot
10 you're going to differ from me. And it's the same idea
11 here, is that of the 16 different places, you may vary
12 from me 16 or you may vary from me one or two; but,
13 nevertheless, we can still distinguish you from me.

14 Q. Have you personally employed these methods to
15 distinguish these things yourself?

16 A. Yes. As I indicated, for the first three years
17 of the existence of the company that I work for we had
18 to -- I did all the analysis in the beginning and all
19 the validations of the instrumentation. So, I did
20 literally thousands of samples at that time during that
21 timeframe. I still do interpretations, which is
22 actually to me the most important part, which is to look
23 at the lines that come off. So, we have analysts now
24 that do the chemistry part of it and they will give
25 their interpretation and I will look over their

1 interpretation and say: Well, you have a problem here
2 because this is a spike, or something else that is going
3 on, it's not a real peak.

4 Q. And is there more than one type of DNA that is
5 looked at from a forensic standpoint?

6 A. Yes. We have three basic kinds. We have one
7 that's called the autosomal. And that's most of the DNA
8 that we're going to be talking about most of the time
9 when we talk about forensic DNA. And that's the one
10 that distinguishes individuals, you and me, from each
11 other. And those are not the ones from the X or the Y,
12 which are the sex ones. Most laboratories are not
13 utilizing the ones for X here in the United States.
14 That will be coming forth, but we are doing ones for the
15 Y. The Y chromosome is specific to males. So, we use
16 that in situations where we want to eliminate a female
17 profile, because, obviously, the female won't have one;
18 but the limitation of that is it doesn't distinguish the
19 individual. And that's important. It only says that it
20 goes down to the paternal line of that individual. So,
21 I can say: Well, my father, my brother, my sons, two
22 sons, all of those individuals have the same Y
23 chromosome profile as I do.

24 And then we have a third one, which is
25 called the mitochondrial, which is transferred from the

1 female. So, I have the same mitochondrial as my mother
2 and the same mitochondrial profile as my brother and all
3 of my maternal line, my grandmother and so forth from my
4 mother's side, but I don't pass it on. My wife passes
5 hers on to my sons and my daughters.

6 Q. Now, of those three things you just talked
7 about, which of them is most forensically and
8 statistically specific?

9 A. Okay. The one that only tells the specific
10 individual is the autosomal.

11 Q. Now, I think we've covered a little bit of it,
12 but just tell us why the autosomal DNA is the most
13 forensically and statically specific?

14 A. Because, again, we look at areas where we vary
15 or individuals vary and you can take it down to the
16 individual. And so, you are going to be specifically
17 indicating what the individual's profile is as opposed
18 to one that's not limited to the individual, such as
19 what we see in the mitochondrial or Y chromosomal
20 testing.

21 Q. From a forensic standpoint when you're talking
22 about individuality and identifying people, what are
23 some of the others ways that Y-STR DNA is different
24 from autosomal DNA?

25 A. Well, we only have -- we only have -- it's

1 linked, for one thing. So, it's only one chromosome.
2 And so, the numbers may be quite a bit smaller because
3 when it's linked like that, you only look at -- you
4 can't multiply things together because they are not
5 independent. So, statistically, you'll have much
6 smaller numbers to begin with. You won't see things
7 like in the billions, trillions, and larger numbers such
8 as that. You will see things in the thousands,
9 typically, for the numbers they will have in like
10 chromosomal testing. And, again, as indicated, you will
11 also have any relationship type as to the paternal line.

12 Q. What are a few different reasons that analysts
13 would test for Y-STR?

14 A. The most common reason that they utilize it is
15 if there is an overwhelming amount of female DNA and
16 they want to eliminate that as a component because they
17 aren't either able to resolve a male DNA. What happens
18 when we have DNA on surfaces, we have two individuals
19 that have touched an item or the DNA has been deposited
20 on an item, it's a competition. And all of these
21 different reactions we have going on, which is 16 for
22 one individual, actually 32 because you have two copies,
23 we have 32 from the other individual as well, it's going
24 to be competing with each other. And so, if there's a
25 lot of one person's DNA and a little bit of another

1 person's DNA, you may not be able to resolve anything
2 with the second person because all you're going to see
3 is peaks from the other one, it just overshadows
4 everything. It's like looking at mountains and not
5 seeing the tiny hills below it. Okay? And so, that's
6 the best analogy I can show to you, is that if you're
7 looking at the mountains you may very well not see the
8 tiny hills below. And that's one reason. And so, if we
9 have a male-female, then we can eliminate the female
10 part of it and look at only a male-derived DNA.

11 Q. And when you say sometimes a female DNA
12 overwhelms the DNA, are we saying that what we're
13 looking at from the male in some of these instances is
14 just a very infinitesimal amount of male DNA?

15 A. That's correct.

16 Q. And how do you turn these tiny samples into
17 something that can be utilized?

18 A. Well, what we do is we utilize a method called
19 PCR, which is polymerase chain reaction. Big fancy
20 word, but you can think of it as the molecular xerox
21 machine of the molecular world; but rather than making
22 one copy into two copies into three copies, we make one
23 copy into two copies into four copies into eight copies.
24 And so, when you do that about -- you do it about 25 to
25 30 times, you're literally making billions of copies.

1 So, by doing that, we can pull out DNA that's specific;
2 but, again, we still have this competition going on.
3 So, it's dependent upon how much DNA is there from each
4 individual.

5 Q. Okay. So, just generally -- all of these ideas
6 and principals we're talking about, are all of these
7 generally accepted in the scientific community?

8 A. Yes.

9 Q. I want to talk little about statistics. Okay?

10 A. Okay.

11 Q. What are population frequencies generally?

12 A. Okay. So, what we basically have done is --
13 our scientists have done is they've taken subsamples of
14 populations that are self-proclaimed based upon
15 race/ethnicity. And usually there are 2 to 400
16 individuals that they utilize, but they've done this
17 many times and they've shown that even though there is
18 slight differences that it will have an idea of how
19 often a specific -- you can think of it as a gene will
20 occur within a population. So, let's say blue eyes,
21 which by the way, just so you know, is limited to the
22 Caucasian population, which most people don't realize.
23 So, therefore, we've eliminated right there about 80
24 percent of the world, 90 percent of the world. And so,
25 immediately you're down to 10 percent. So, if somebody

1 has blue eyes and you say: Okay. That's an idea --
2 that gives you the idea of the population statistics
3 right there. We'll say the population statistic for
4 that is 10 percent of the population would be expected
5 to have this. And so, they do that in all of these
6 different places we're looking at where people vary and
7 those are where the numbers are actually generated.
8 They say: Okay. This will happen in 10 percent, this
9 will happen -- if it's around 50 percent, that's like
10 half the world or half the population. Then it's pretty
11 much neutral. It's like every other person is going to
12 have it. You can even go up higher than that where you
13 can get close to one-to-one and virtually everybody has
14 it one.

15 Q. So, it seems a little bit obvious, but tell us
16 why these population frequencies are important in DNA
17 typing and testing.

18 A. All right. Well, basically, what we're trying
19 to do is give you an idea how often we would expect
20 something to be seen if we were to test the random
21 individual. So, let's say that I have 1 out of 1,000,
22 you would expect -- which is a 99 -- 99.9 probability
23 just so you know. I would even expect 1 person out of
24 1,000 to meet that criteria if you were to test 1,000
25 people.

1 What you have to understand is when we're
2 talking about population statistics is the first person
3 I test may have it and the next 999 might not. Or I
4 might test 1,000 and none of them have it. Or I may
5 test 1,000 of them and 999 might have it, but I might do
6 millions of people. Then it will come out with an
7 average of 1 out of 1,000. So, it gives you an idea how
8 often you would expect that frequency, but it doesn't
9 mean that the next person tested does not have it, even
10 as improbable as that might be.

11 Q. Are you familiar with the population
12 frequencies that were provided in this case?

13 A. Yes.

14 Q. And referring you -- referring you to the
15 population frequencies that were included on the -- in
16 the State's -- or the Harris County M.E.'s report from
17 August 30th, 2012, tell the jury what those frequencies
18 were.

19 A. Well, if I can recall -- and I can take a look
20 at the actual numbers, but if I remember correctly they
21 were around 1 to 80 to 1 to 150, depending upon the
22 population.

23 MR. OLIVER: May I approach the witness,
24 Your Honor?

25 THE COURT: You may.

1 Q. (By Mr. Oliver) If I were to show you the
2 report, would that help to refresh your recollection?

3 A. Yes.

4 Q. Without saying anything out loud, just take a
5 look at this part of the report (indicating)?

6 A. All right.

7 Q. Does that refresh your recollection?

8 A. Yes.

9 Q. Okay. So, can you tell us what the population
10 frequencies were that the State relied upon?

11 A. Yes. And, again, it was dependent upon the
12 race ethnicity, is what they always base it upon. The
13 lowest number is 1 out of 79. Basically, about 1 out of
14 80. And the highest was 1 out of 150. And Caucasian
15 was the 1 out of 80.

16 Q. So, applying the example you just gave the
17 jury -- or the discussion you just gave the jury about
18 population frequencies, we're not saying that -- well,
19 just apply that same analogy to this statistic.

20 A. Sure. Basically, if we were to line up -- and
21 this is the Y-STR. If we were to line up 80 male
22 individuals of Caucasian descent, we would expect
23 approximately 1 of those to have a profile which would
24 correspond to the profile that they had, which was
25 within their statistics, which is not all because it was

1 a partial profile. It wasn't a full profile. But,
2 again, understand that of those 80 that I line up, maybe
3 none of them have it, but there might be 79 that have it
4 as well because it's a random chance -- it's like
5 tossing a coin -- as to if they might have it or not
6 have it. So, we would expect it to happen 1 out of 80
7 times, but it doesn't mean it won't happen. The test to
8 the first person might be -- match that criteria.

9 Q. Now, these population frequencies statistics,
10 do you always have to conduct a statistical analysis in
11 these type of cases?

12 A. The standard for the industry is -- with a few
13 exceptions and I'll say what those are -- is that if you
14 are going to say that there's a possible inclusion of
15 that individual -- and so, we can't say it's that
16 individual because that's not what DNA tells you. It's
17 never zero or a hundred for statistics. It may be
18 improbable it may be somebody else, but it's not zero or
19 a hundred. And so, we're supposed to give a weight as
20 to how prominent that is.

21 And so, the exception would be in a few
22 states if you have a match and it's on the autosomal,
23 they don't want to hear 1 in 17 quintillion. So, they
24 say: Well, it's a match and it's all of them, then
25 they'll accept that, but that would be the exception.

1 Q. Are the databases that you guys use to get
2 these statistics for autosomal DNA and Y-STR DNA the
3 same or different?

4 A. They're usually the same because most people
5 rely on the autosomal ones. Most people are utilizing
6 the FBI ones, which were derived from three major
7 populations. Two of them in the Washington D.C. area
8 for Caucasian and African-American and one in the Los
9 Angeles area.

10 For the Y-STRs, that's been kind of an
11 evolving sort of thing and it kind of depends on when
12 the actual testing was done. And so, if you look back
13 five years ago, we're going to be down somewhere around
14 1 out of 1200 to 1800 is the highest number you would
15 see because they only had 1800 people in the database
16 and that's the maximum number it could be, is whatever
17 is in the database for the Y. We're now up to about
18 13,000 for most people. And it depends on which
19 database you utilize.

20 Q. So, basically, what you're saying, which of
21 these two databases, the autosomal or Y-STR, have been
22 in use longer?

23 A. The autosomal.

24 Q. And so, would you agree that the statistics
25 that we're looking at is only as good as the database?

1 A. Yes.

2 Q. Referring you to the DNA -- the concept of DNA
3 transfer, what does the presence of DNA on an article of
4 clothing or surface tell you about how it got there?

5 A. It doesn't tell you anything. Any source of
6 cells in any way -- there's various ways it could be
7 there. It could be if you touch it, if -- it can be by
8 secondary transfer. It can be -- so, all it tells you
9 is there is DNA there.

10 Q. So, is it difficult or not difficult to
11 transfer DNA onto people or things?

12 A. No. We -- as I said, we're like little Pig
13 Pens. We shed all of our DNA. And there's been studies
14 that have shown that where individuals have DNA, they've
15 actually swabbed their neck and looked at that and found
16 that, you know, some -- you know, there's almost always
17 foreign DNA there. A lot of times it's people they
18 know, but sometimes it's even people they don't know at
19 all.

20 THE COURT: Sir, would you slow down just a
21 wee bit again?

22 THE WITNESS: Okay.

23 Q. (By Mr. Oliver) Dr. Miller, can you describe
24 what primary transfer is?

25 A. Primary transfer is where we have a direct

1 source from the individual. And that's the case if I
2 touch your shoulder and we test that area, that would be
3 primary transfer. That can be from blood, sweat, tears,
4 saliva, and skin cells, are the primary ones we would
5 see that from.

6 Q. What about the concept of secondary transfer,
7 can you describe that?

8 A. Secondary transfer is where an item comes into
9 contact with another item that has DNA on it. One of
10 the most common ones is two pieces of clothing that got
11 commingled. So, you throw all your laundry into -- you
12 know, into one heap and your significant other's
13 clothing is there as well, there can be transfer from
14 that clothing to the other piece of clothing. Or there
15 may -- you know, it depends. There may be any type of
16 secondary type of transfers like that. And they have
17 actually even shown where if you use the towel, you can
18 get DNA off the towel onto your skin and be able to have
19 it recovered.

20 Q. So, this secondary transfer, is it common or
21 not common?

22 A. It's not real common, but certainly there are
23 situations where it's more common than others. As I
24 said, the commingling is one of the more common ones.
25 And then, certainly, it also is dependent upon the

1 individual. Some people are bigger Pig Pens. We may
2 have dry skin, we may have personal habits such as
3 touching our mouths, rubbing our hands through our hair,
4 and, therefore, we have more DNA to be deposited,
5 basically. So, we call those good shedders versus poor
6 shedders.

7 Q. Does the amount of DNA found in a particular
8 sample tell you whether or not the DNA occurred as a
9 result of primary or secondary transfer?

10 A. Well, no, not directly. What would happen
11 would be is if it's secondary transfer, you would expect
12 it to be at low concentrations, but you can have that
13 with primary as well.

14 Q. Now, changing gears a little bit. Can you tell
15 the jury what generally is serology?

16 A. Serology is a -- it actually means it comes
17 from the testing of blood originally, is the original
18 derivation from it, but we now utilize it for testing of
19 things such as amylase for saliva, for components of
20 blood, and for components of semen, for sperm cells
21 themselves. And so, a lot of times we'll actually put
22 microscopy in there, which is technically is not true
23 serology, but we kind of bulk it together.

24 Q. Okay. So, basically, the reason you study
25 serology is because DNA can be found in all of these

1 fluids, right?

2 A. Correct.

3 Q. Now, if you find DNA and it's in one of those
4 things, does the DNA itself, anything about the DNA tell
5 you where it came from?

6 A. No.

7 Q. So, DNA is DNA is DNA?

8 A. Correct.

9 Q. And so, analyzing DNA might help us -- it might
10 help us identify the person it came from, right?

11 A. Correct.

12 Q. But not what the person did to get it there?

13 A. Correct.

14 Q. Is there anything a lab can do to address that
15 problem with certainty?

16 A. There are some research techniques right now
17 that are being developed, but they're not fully
18 available to law enforcement or to private labs either.

19 Q. Now, there are presumptive tests for blood,
20 semen, and saliva in use?

21 A. Yes, there are.

22 Q. I want to talk about saliva specifically.
23 Okay?

24 A. Okay.

25 Q. Is there a presumptive test for identifying

1 human saliva?

2 A. Yes. There's a test, which is called amylase,
3 which is the enzyme that breaks down starch. And so,
4 you have it in your saliva and you also have it in your
5 pancreas. Two major sources of it.

6 Q. Now, are there any, you know, negatives using
7 amylase?

8 A. Well, some of the old techniques that they
9 utilized were not specific for amylase. And so, they
10 basically took a starch plate, put the sample on there,
11 and then let it work for a little bit and then they
12 flooded it with iodine. And when the starch is broken
13 down by the enzyme, it has a clear zone. That's not a
14 specific, but there are some new methods out there that
15 have been around now for, gosh, at least ten years, if
16 not longer than that, which use antibodies that are made
17 against that specific protein. And it's kind of like a
18 pregnancy test. And so, you basically put your sample
19 on and if a line develops, then there's amylase. And
20 that is specific for salivary amylase.

21 Q. So, there are currently techniques available
22 and widely used to identify human saliva without all the
23 problems that the old iodine testing had?

24 A. Correct.

25 Q. Now, where there was an allegation -- a test

1 that a person was licked, would you expect to see an
2 amylase test done?

3 A. Definitely.

4 Q. Can you account for the lack of an amylase test
5 in such a situation?

6 A. There may not have been a communication as to
7 the alleged incident. That would be one. The analyst
8 may not know. And they may have had some standard
9 procedures that they follow that they -- you know, this
10 was, quote, a sexual assault, they may be just looking
11 for semen and sperm. That would be one possibility.
12 One would be that they may not be utilizing the test
13 within their laboratory.

14 Q. Okay. Now, looking at this case specifically,
15 you've reviewed all the lab records, right?

16 A. Correct.

17 Q. How many lab reports were generated in this
18 case?

19 A. There were actually three. There was one for
20 serology. And then there two that were done on the DNA.
21 And in actuality, only one of those had analytical work
22 on it. The other one was a reinterpretation.

23 Q. And you followed your lab's SOP for -- standard
24 operating procedure, I guess, for the analysis and
25 interpretation of the report for this case, right?

1 A. Correct.

2 Q. What, if anything, was included in the file
3 that you received regarding the nature of the
4 accusations?

5 A. I'm not quite sure what your question is.

6 Q. Was a copy of the SANE evaluation that was done
7 in this case included?

8 A. Yes, there was.

9 Q. Did that describe generally the accusations
10 that were made?

11 A. Yes, it did.

12 Q. What were those accusations?

13 A. The accusation was that the defendant licked
14 the girl in her private areas.

15 Q. All right. Basically, the front and back,
16 right?

17 A. Correct.

18 Q. Do you know about how many sperm cells are
19 present in the average ejaculation?

20 A. Every ejaculation is well over a hundred
21 million.

22 Q. So, obviously, there's no evidence to support,
23 based on the documents you reviewed, the presence of any
24 sperm, right?

25 A. That's correct.

1 Q. Did the Harris County lab find presence of any
2 human saliva?

3 A. They didn't test for it.

4 Q. Why not?

5 A. I can't answer that.

6 MS. COLLINS: Objection to speculation.

7 THE COURT: Sustained.

8 Q. (By Mr. Oliver) You performed testing similar
9 to this in the past, right?

10 A. The amylase test?

11 Q. The DNA testing.

12 A. We've done all of it, yes.

13 Q. When you receive that evidence, those items,
14 what do you typically get?

15 A. Well, we would have someone describing as to
16 what may have either -- what was transpired or there
17 would be communication that would say: Okay. This is
18 what we need to look for. If we think it's a sexual
19 assault --

20 Q. Let me interrupt you. I'll ask it a better
21 way, a better question.

22 Do you know what the Harris County lab
23 received in terms of evidence for testing in this case?

24 A. They received underwear, among other things.
25 Yes.

1 Q. Swabs and clothes, right?

2 A. Correct.

3 Q. So, if the allegation was that my client licked
4 the child's vagina and licked her behind, where all
5 might you expect to find the presence of human saliva?

6 A. You would find it on her body, which would be
7 the primary place. And then there would be some that
8 possibly could be on the underwear in the front or the
9 back.

10 Q. Okay. And revisiting what we talked about
11 earlier when you were talking about primary transfer and
12 secondary transfer, in this context of this allegation
13 where would the primary -- where would the larger amount
14 of DNA be deposited?

15 A. It would be on her body, even if it's a
16 secondary transfer from her body onto the underwear.

17 Q. So, would it be a smaller -- if there was DNA
18 that transferred from the primary location to the
19 secondary, would it be a smaller amount transferred onto
20 the underwear?

21 A. Usually, yes.

22 Q. Now, you referenced the underwear as part of
23 the evidence that was tested. What area of the
24 underwear was this DNA extracted from?

25 A. It was taken from the crotch area, but

1 specifically right along the edge where the leg hole is.

2 Q. Was it on the inside or outside?

3 A. Well, they put it as the inside, but you really
4 don't know because you're basing that on an assumption
5 if you look at the tags, and saying: Well, yeah, this
6 is the inside, this is the outside. That makes the
7 assumption that the person doesn't turn it inside-out
8 when they're wearing it, but that would be the question.

9 Q. And it's -- would you be surprised to find that
10 a 3-year-old that dressed his or herself that put their
11 clothes -- their undergarments on inside-out?

12 A. I think that's fairly common.

13 Q. So, really, based on what you looked at, you
14 couldn't say for certain whether this DNA sample came
15 from the inside or outside?

16 A. No.

17 Q. Now, there was other clothing, right, pants and
18 a shirt?

19 A. Correct.

20 Q. And was any amylase or Y-STR testing done on
21 those items?

22 A. No.

23 Q. So, would they be able to say -- what
24 limitations does that place on their testing?

25 A. Well, certainly if the person had touched her

1 on the outside of the clothing, you would find his DNA
2 there as well.

3 Q. And could that DNA then be transferred to the
4 underwear?

5 A. If they were commingled, like when you take
6 them off, yes, they could be.

7 Q. And -- well, strike that.

8 Now, what was the quantity of male DNA that
9 was obtained from the underwear?

10 A. My -- based upon their quantitation, which is
11 how they tell how much DNA is there, I calculated it to
12 be about 115 cells in the total extraction, which is
13 extremely small because you have about a trillion cells
14 in your body.

15 Q. About how many cells could be transferred
16 between two people during innocent and casual contact?

17 A. At least that many, if not more. Oftentimes
18 you'll get a full profile of the autosomal and certainly
19 a full profile of the Y chromosome just by casual
20 touching.

21 Q. And so, just as an example, if I sat on your
22 couch could that many cells transfer to my clothing?

23 A. It could.

24 Q. Could more than that transfer to my clothing?

25 A. It could. If you're a strong shredder, yes.

1 Q. Now, talking about -- strike that.

2 So, just to finish that discussion about
3 the pants and the underwear, is there any way for us to
4 know if the Y-STR DNA that was found on the panties was
5 transferred from the shirt or pants?

6 A. No, there would be no way of knowing that.

7 Q. Why is that?

8 A. Well, because you have such a small quantity
9 to give you primary or secondary transfer. All you know
10 is there's DNA there that corresponds to any male.

11 Q. So, the Y chromosome, that profile, we know
12 it's not limited to the defendant, right?

13 A. That's correct.

14 Q. And how is it related?

15 A. Anybody that's paternally related to him would
16 have the same profiles that he has. Occasionally, there
17 may be a mutation, but that's about 1 out of 1,000
18 people.

19 Q. So, if Mr. Peyronel has a teenage son, would
20 you expect him to have the same Y-STR profile?

21 A. Yes.

22 Q. Could you talk to us about Y-STR mutations --
23 does that happen?

24 A. As I said, yes, it does occur. About 1 out of
25 1,000 individuals may have one mismatch. Right now when

1 we're doing relationship testing, we allow up to two,
2 but the probabilities of that are very, very small.

3 Q. And so, the only way -- how would you know if
4 there was a mutation from father to son?

5 A. You would test each of the individuals as an
6 elimination sample.

7 Q. Now, when you talk about these different Y-STR
8 profiles, when you're comparing one to the other, are we
9 talking about like there's radical differences between
10 the profiles or there could be, you know, one difference
11 in one loci extracted?

12 A. You could have unrelated individuals that have
13 one or two inconsistencies or mismatches within the
14 profile. The Y chromosome, with the exception of one of
15 the places, only has one number from your father. And
16 so, I might have a 10 and my son might have an 11. That
17 would be a mutation, but it could also be that he would
18 not be my son. And so, each of those possibilities
19 exists. It could be as little as one mismatch. And the
20 way you do that is to test the individuals.

21 Q. So, you know, based on the documents you
22 reviewed you know that the -- my client's Y-STR was
23 typed, right?

24 A. Correct.

25 Q. And also the little child's father's Y-STR

1 profile was typed, right?

2 A. Correct.

3 Q. Was anybody else's?

4 A. No.

5 Q. So, as to a statistical certainty, can any
6 other male be excluded?

7 A. Well, the -- any paternally-related male would
8 not be excluded by definition.

9 Q. What if there was -- now I know it could be
10 rare, but what if there is a Y-STR mutation?

11 A. Then you could -- you would have to test that.
12 You would see what that would be. That would be
13 empirically done.

14 Q. And so to be on the safe side and to be
15 certain, would you test those individuals even if
16 they're paternally related to exclude them?

17 A. Yes.

18 Q. What about anyone who lives, you know, next
19 door?

20 A. Again, as I stated earlier, with the profiles
21 that we're looking at, the partials, you should do all
22 elimination samples. Even though it may be 1 out of 80,
23 the first person you test may be that one out of 80
24 persons.

25 Q. Based on all the documentations you reviewed,

1 did you come to any conclusions about the evidence, you
2 know, the things that you reviewed in this case?

3 A. I'm not quite sure what your question is. I
4 drew conclusions that are outlined in my report.

5 Q. That's what I'm referring to.

6 A. Okay. Basically, the conclusions would be that
7 we don't know the source of the Y chromosome. It could
8 be from primary or secondary transfer. We don't know
9 for sure the individual because it's not limited to only
10 that individual. And it's a partial profile. And
11 elimination samples should have been taken from other
12 individuals.

13 Q. Now, the number of cells, the approximately 115
14 cells, could that number of cells found be attributed to
15 caretaker activities or other just normal casual
16 contact?

17 A. Yes, definitely. We certainly see those kind
18 of numbers on casual contact and even on secondary
19 transfer. And there's a report done in the American
20 Academy for Forensic Science where it was a poster that
21 they gave where they actually looked at a caretaker and
22 male DNA was commonly found on females.

23 Q. Let me ask you this. If there was a common
24 toilet in the house, could DNA mixing occur in a
25 situation like that where a lot of individuals are

1 sitting on the same toilet?

2 A. Certainly there could be secondary transfer.

3 MR. OLIVER: I pass the witness, Your
4 Honor.

5 **CROSS-EXAMINATION**

6 **BY MS. COLLINS:**

7 Q. Good morning, Doctor.

8 A. Good morning.

9 Q. Is it Doctor?

10 A. Yes.

11 Q. My name is Lisa Collins. We haven't met
12 before, right?

13 A. That's correct.

14 Q. Okay. I have just a few questions for you.

15 A. Okay.

16 Q. Let me start with where you work. You work, as
17 you said, for Chromosomal Labs, correct?

18 A. Chromosomal Labs Bode Technology.

19 Q. Okay. And that's because Bode Technology
20 bought out Chromosomal Labs, right?

21 A. That's correct.

22 Q. Okay. Now, the place that you work, as you
23 stated, does several different types of DNA testing?

24 A. Correct.

25 Q. Okay. You mentioned a few of those. I'll just

1 find the ones you mentioned. Paternity tests?

2 A. We do paternity testing.

3 Q. Okay. You do what you call infidelity testing?

4 A. Correct.

5 Q. You advertise: Catch-him-cheating or
6 catch-her-cheating?

7 A. Correct.

8 Q. Okay. And for all of these different types of
9 testing that your company does, you have a 1-800-number
10 that people can call and receive your services?

11 MR. OLIVER: Your Honor, I object to
12 relevance.

13 THE COURT: Overruled.

14 Q. (By Ms. Collins) And can receive your services?

15 A. Yes.

16 Q. Okay. Now, the lab, Chromosomal Labs Bode
17 Technology, they're an accredited lab?

18 A. Correct.

19 Q. Okay. And who are they accredited by?

20 A. We're accredited by FQSI, which is Forensic
21 Quality Services International, which is one of two
22 that's accepted by the FBI Quality Assurance. The other
23 one is the Crime Laboratory Directors.

24 Q. Okay. And you said you have been working with
25 them for how long?

1 A. Since 2004.

2 Q. Okay. And that was kind of the startup of the
3 company?

4 A. I was integral in the startup of the company.

5 Q. Wonderful.

6 Now, prior to working for Chromosomal Labs
7 Bode Technology, your main focus had been working at
8 different environmental type firms?

9 A. I've had -- I've had a very diversive
10 background, but, yes, the previous one was Aero Tech and
11 that was indoor air microbiology and we were doing
12 molecular techniques.

13 Q. In fact, each of the companies that you worked
14 for, Aero Tech Labs, Microgenesis, Eco Farm (phonetic),
15 they all had focuses on, as you put it, microbiology?

16 A. Correct.

17 Q. When I was reviewing these companies, the words
18 fungus, fungi, asbestos popped up a lot, right?

19 A. Right.

20 Q. That's the main focuses of those companies?

21 A. Correct.

22 Q. And none of those companies specialized in any
23 kind of like genetic testing, DNA testing like we're
24 talking about today?

25 A. No, that's not correct.

1 Q. Okay. Well, again, these were all
2 environmental-focused companies, correct?

3 A. Correct.

4 Q. Okay. Now, prior to that, as you said, you
5 worked as a scientist and a professor. Not at the same
6 time, but at different times. Correct?

7 A. Correct.

8 Q. And, again, your focus as a scientist was on,
9 simply for my terms, fungi?

10 A. Right.

11 Q. Okay. And as a professor, your focus was in
12 plant pathology, correct?

13 A. Correct.

14 Q. Okay. Now, your job, as you said, now -- your
15 current position is chief technical officer?

16 A. Yes. And I also serve as the DNA technical
17 leader.

18 Q. Okay. Now, when we talk about professional
19 organizations -- and I always mix up the terms
20 accreditation and certification, so bear with me -- are
21 you ABC-certified?

22 A. I don't know what ABC is.

23 Q. Okay. Let me rephrase. Are you certified with
24 the American Board of Criminalists?

25 A. No, I'm not.

1 Q. And what is the American Board of Criminalists?

2 A. It's a professional society for criminology.

3 Q. Okay. It's the only, to my knowledge -- and
4 you tell me if I'm wrong -- the only organization that
5 provides certifications for forensic DNA type stuff?

6 A. Well, it's not just DNA. They do more
7 criminology, which could be fingerprints and a number of
8 other things.

9 Q. Okay. And there aren't any other organizations
10 that certify in this particular field of forensic
11 science?

12 A. There are some local ones. California has one
13 and there's some other ones, but, yes, basically you're
14 correct.

15 Q. And are you certified with any of those
16 organizations?

17 A. No, I'm not.

18 Q. Okay. Now, you testify as an expert quite
19 often?

20 A. Fairly common, yes.

21 Q. It certainly wouldn't surprise you to know when
22 I researched your name, your name immediately pops up on
23 quite a few different expert witness websites?

24 A. I haven't done that search, so I wouldn't know.

25 Q. That wouldn't surprise you, though, would it?

1 A. No.

2 Q. Okay. And, in fact, on Chromosomal Lab's
3 website there's even a link for expert witnesses and
4 you're one of those?

5 A. Correct.

6 Q. You do this a lot?

7 A. Yes.

8 Q. And as you've already stated, you're paid for
9 your services?

10 A. Correct.

11 Q. An hourly rate, I'm sure?

12 A. Correct. I'm not paid. My company is. I
13 don't derive any personal benefits.

14 Q. Fair enough.

15 The company you started?

16 A. Correct.

17 Q. Now, to this particular case, as you said, you
18 didn't do any personal testing in this case?

19 A. Correct.

20 Q. So, your focus was on reviewing the
21 documentation that was done by the Harris County -- what
22 I call the Harris County Medical Examiner's Office?

23 A. Correct.

24 Q. In their lab?

25 A. Correct.

1 Q. You didn't actually like lay hands on any of
2 the evidence, right?

3 A. Correct.

4 Q. Okay. So, it was strictly documentation?

5 A. That is correct.

6 Q. Okay. Now, you would agree with me that you,
7 in your search of those documentations, didn't find any
8 deviations, errors by the lab, anything like that?

9 A. That's correct.

10 Q. So, you're not certainly here saying that any
11 of those numbers are wrong?

12 A. No.

13 Q. Wonderful.

14 Okay. Now, you mentioned first this idea
15 of saliva testing, correct?

16 A. It was asked, yes.

17 Q. And when you talked about saliva testing --
18 well, let me kind of back up here.

19 As a researcher and expert witness, you,
20 many times, rely on literature, right?

21 A. Correct.

22 Q. And by literature, I mean studies done by other
23 people that you can base conclusions on?

24 A. Correct.

25 Q. Okay. And when you were testifying a moment

1 ago, one of the things you talked about was literature
2 regarding saliva testing that's available?

3 A. Correct.

4 Q. Okay. And you mentioned -- what was the name
5 of that test you mentioned as kind of the most common,
6 best one?

7 A. Well, there's a serological one, which is an
8 antibody-based one.

9 Q. Okay. Now, if I heard you right, that doesn't
10 test for saliva specifically. It tests for like little
11 things that make up saliva?

12 A. It's a protein within saliva, yes.

13 Q. Okay. Because of that, if you were to do that
14 test, you couldn't look at those results and say:
15 Ah-hah, that's saliva?

16 A. That's correct.

17 Q. You could say: Hey, I see something that is
18 included in saliva?

19 A. That's correct.

20 Q. Okay. Now, because of that, that's not a
21 protein that's only limited to saliva, right?

22 A. No. It's found in small amounts sometimes in
23 urine, not in all urine cases, and sometimes in fecal
24 material.

25 Q. Okay. And, in fact, the literature that you

1 were just kind of referring to a moment ago found in
2 their own testing just those results, right?

3 A. That's correct.

4 Q. Now, because of that, when we deal with -- I
5 mean, just commonsensically, when we're dealing with the
6 panties, in this case of a 3-year-old child, it wouldn't
7 be beyond imagination for those panties to possibly
8 include bits of urine or fecal matter?

9 A. Correct.

10 Q. Okay. So, even if testing had been done like
11 you suggested in this case of those panties, if that
12 enzyme or protein had been found, there wouldn't be any
13 way to say for sure it came from saliva?

14 A. I would agree with that.

15 Q. It could still have come from urine or fecal
16 matter?

17 A. It could.

18 Q. And there's other things that could possibly
19 pop up because of it?

20 A. I'm not sure I'm going to agree with that.
21 You'd have to be specific on that.

22 Q. Fair enough.

23 Fecal matter and urine and saliva is not an
24 exhaustive list of everything that particular protein is
25 a part of?

1 mentioned this idea that we've heard about before about
2 statistics, right?

3 A. Correct.

4 Q. And this idea that when it comes to Y-STR
5 testing, we're basically limiting the DNA to a group of
6 people as opposed to one person?

7 A. Correct.

8 Q. Commonsensically, you would agree with me,
9 Doctor, that because of that we would want to figure out
10 a list of every single person who could have had contact
11 with that object that has been tested and see who's
12 ruled in, who's ruled out of that group?

13 A. Correct.

14 Q. That's the best way to know who that DNA
15 belongs to?

16 A. Correct.

17 Q. Now, you mentioned this concept of transfer. I
18 think specifically you mentioned the idea of clothing
19 being washed together, things like that.

20 A. I didn't say washed. I said commingled.

21 Q. Commingled.

22 And when we're talking about commingled,
23 that could be a number of things, right?

24 A. Well, no. Commingle means you mix it,
25 literally. That's the Latin variation of it.

1 Q. Let me be clear. My apologies. When you say
2 commingle, it could be commingled by being in a pile
3 together?

4 A. Correct.

5 Q. By being washed together?

6 A. Could be, yes.

7 Q. Being in a drying machine together?

8 A. Could be.

9 Q. Things like that, right?

10 A. Correct.

11 Q. And, again, going back to the literature you
12 referenced with regard to that, that study that you
13 referred to with regard to commingling of clothing, and,
14 therefore, the transfer of DNA dealt with people who
15 cohabitated, correct?

16 A. Correct.

17 Q. Specifically, it was focusing on a
18 father-daughter type of situation?

19 A. Correct.

20 Q. And even in that study, it stated that -- well,
21 let me back up a little bit.

22 Again, the focus was people who would
23 cohabituate together, live together?

24 A. Correct.

25 Q. Now, when we talk about transfer, DNA from one

1 person to another person, you mentioned this idea of --
2 again, going back to the literature -- strangulation
3 studies, right?

4 A. That was the emphasis, yes.

5 Q. And in that study what they were talking about
6 and what they were dealing with was exposed areas of the
7 body, correct?

8 A. Correct.

9 Q. In that case, necks?

10 A. Correct.

11 Q. This may sound like a stupid question, but bear
12 with me. It's fair to say when we're talking about
13 transfer, this Pig Pen idea as you put it, that just
14 like dirt or other substances, things that are exposed
15 to the outside elements are more easily going to be
16 transferred onto than things that are kind of covered
17 up?

18 A. Correct.

19 Q. For instance, it would be transfer onto my neck
20 than, say, my tummy that is covered up with clothes?

21 A. Correct.

22 Q. Okay. And even in that study it talked about,
23 as I think you've mentioned, Doctor, this concept of
24 different people shedding different amounts?

25 A. Yes.

1 Q. And, specifically, they actually reference a
2 study of saliva in that literature, don't they?

3 A. They do.

4 Q. Specifically, they talk about in their studies
5 where they knew that -- they actually mentioned licking
6 cases, saliva, right?

7 A. Correct.

8 Q. That even in these licking cases, I believe it
9 was only in two of the five of those licking cases were
10 they able to find any DNA that was foreign to the person
11 being licked?

12 A. Correct.

13 Q. And they knew that person had been licked,
14 right?

15 A. Correct.

16 Q. I mean, when we talk about studies, these are
17 normally controlled, right?

18 A. They are.

19 Q. We know that something has occurred and we're
20 testing to see if, knowing what has occurred, we can
21 determine that scientifically?

22 A. Correct.

23 Q. And even in that study of the people being
24 licked, only two of the five people showed up that
25 foreign person's -- the lickler, if you will -- DNA

1 profile?

2 A. Correct.

3 Q. And that's because different people shed
4 different amounts?

5 A. I agree.

6 Q. And even -- from what I read of the literature,
7 even someone can shed different amounts at different
8 times?

9 A. That's also correct.

10 Q. So, there's really no way to determine, unless
11 you're standing there with them -- and I think there's a
12 test for how much a person is shedding at a given time,
13 right?

14 A. There is.

15 Q. But you actually have to like be in that moment
16 with them testing for that to know how much they're
17 leaving behind, right?

18 A. That's correct.

19 Q. Other than that, there's no way to know exactly
20 how much DNA a person will leave behind?

21 A. That's correct.

22 Q. Now, as you stated, there's no way
23 scientifically to determine exactly how DNA gets to the
24 place that it's found?

25 A. That is -- with the public and private labs

1 we're utilizing now, yes, there's a research method, but
2 that's not available.

3 Q. Not available to the outside world?

4 A. Correct.

5 Q. Okay. Kind of like special, and as you said
6 being developed?

7 A. Correct.

8 Q. Okay. For everybody else, us little people, if
9 you will, there's no way to make that determination?

10 A. I agree.

11 Q. And just to clarify. When we're talking about
12 something being in development, that means it has not
13 passed all those tests to make sure that it's kind of
14 good to go, if you will, to be in use?

15 A. It's in research, as being presented at special
16 meetings and papers and so forth.

17 Q. So, there's a lot of stuff it has to go through
18 in order for it to get the go-ahead?

19 A. Well, it's actually more of a cost problem more
20 than anything else right now, but, yes.

21 Q. Fair enough.

22 You would agree with me, Doctor, that per
23 the literature, the conclusions, at least what I found
24 from what you referenced in your report, are that
25 profiles obtained from touch objects are more likely to

1 be a result from primary transfer than a secondary
2 transfer; is that correct?

3 A. I would agree with that, yes.

4 MS. COLLINS: Pass the witness.

5 MR. OLIVER: Briefly, Judge.

6 **REDIRECT EXAMINATION**

7 **BY MR. OLIVER:**

8 Q. Dr. Miller, if you had done this testing or if
9 you were reviewing this testing, would you expect to
10 find my client's DNA in Ryleigh's fecal matter?

11 A. No.

12 Q. Would you expect to find my client's DNA in
13 Ryleigh's room?

14 A. No.

15 Q. And so, if all these -- if you wouldn't expect
16 to find my client's DNA in any of those things or fluids
17 that caused these false-positives, could you then
18 eliminate those if you did get a positive to the amylase
19 test and find DNA in that location?

20 A. Well, you still would not know for sure that
21 the saliva was the source. The point would be is if you
22 don't do the amylase, you haven't shown that it might be
23 saliva.

24 Q. And so, the amylase test can be a corroborating
25 factor?

1 A. Correct.

2 Q. And does anything about -- when talking about
3 this amylase testing and the reaction to the
4 false-positives, do they all react to the amylase
5 testing at the same time or does the time -- the
6 reaction time for false-positives tell you anything
7 about what you're seeing?

8 A. The false-positives are a very slow reaction.
9 And so -- it's because there's less enzyme there. And
10 so, when you look at that you would say: Okay. Well,
11 it could be a false positive, but it also could be a
12 very small amount of saliva.

13 Q. And so, an analyst that's doing a very careful
14 examination of that evidence in light of such a
15 significant charge could simply incorporate those
16 findings into the report?

17 MS. COLLINS: Objection to leading.

18 THE COURT: Sustained.

19 Q. (By Mr. Oliver) I want to move to the
20 prosecutor's questions about commingle. She referenced
21 a study. Do you recall that? And in that particular
22 study what they studied were people who lived together,
23 right?

24 A. Correct.

25 Q. Does that line of questioning, does that

1 suggest that -- or does that mean that transfer,
2 secondary transfer is limited only to situations where
3 people live together?

4 A. Certainly not. It's just that there's more
5 opportunity for them to have DNA being exposed.

6 Q. Okay. Now, the other study that she referenced
7 about DNA transfer, do you recall her line of
8 questioning about that literature only identified two
9 out of five situations where DNA was transferred in a
10 licking case?

11 A. Yes.

12 Q. What percentage is that?

13 A. Forty percent.

14 Q. Forty percent?

15 A. Correct.

16 MR. OLIVER: I'll pass the witness, Judge.

17 MS. COLLINS: Nothing further, Your Honor.

18 THE COURT: All right. You may step down.

19 THE WITNESS: May I be excused?

20 THE COURT: May this witness be excused?

21 MS. COLLINS: Yes, Your Honor.

22 THE COURT: Y'all are tired, aren't you?

23 We're going to take a little break right now.

24 (Recess)

25 (Open court, defendant and jury present)