

1 biological sciences from Southern Illinois
2 University.

3 Q. And after graduating did you come to
4 work immediately for the department?

5 A. No, after college I worked as a research
6 scientist for a pharmaceutical company and then I
7 became a forensic scientist here in Houston after
8 that.

9 Q. In order to qualify you for the work
10 that you do, did you have to attend armors courses?

11 A. Yes, I did.

12 Q. And explain to the jury what those
13 courses entail.

14 A. I took courses from Colt Firearms,
15 Hi-Point Firearms, Sig Sauer Firearms and Glock
16 Firearms; and those courses are -- they talk about
17 the functionality of the firearm. You learn how to
18 detail strip, field strip those firearms and how to
19 repair them, if necessary.

20 Q. So essentially those courses make you an
21 expert in firearms?

22 A. They're part of the training process,
23 yes.

24 Q. Okay. I understand you've also gone to
25 multiple training courses and seminars. Can you

1 tell the jury about those as well?

2 A. Uh-huh. I'm a member of the Association
3 of Firearm and Tool Mark Examiners. And every year
4 they have a training seminar and I've been able to
5 go to two of those.

6 Q. Okay. Any certifications that you have
7 as well?

8 A. Yes. I'm certified by the American
9 Board of Criminalistics.

10 Q. And what exactly does that mean?

11 A. It means I've been able to take a test
12 and have been qualified by this American Board of
13 Criminalistics to do this type of job.

14 Q. And, finally, let's talk about your
15 additional accomplishments you've achieved in your
16 professional capacity.

17 A. Is there something specific you'd like
18 me to discuss?

19 Q. I just want you to tell them about the
20 completion of the barrel testing and the --

21 A. I've completed what's called the Ruger
22 ten barrel test, the ten slide test and also the
23 Hi-Point ten slide test. And these are tests
24 provided in the after community. They come from
25 firearm parts that are consecutively manufactured,

1 meaning those items were manufactured one after the
2 other. So they're a little difficult and you have
3 to identify unknowns to the known slide or barrel
4 that they came from.

5 Q. Let's -- what I'd like for you to do is
6 educate the jury generally about what happens to a
7 bullet and a casing when it is fired from a specific
8 weapon.

9 So my first question is can you take
10 either a bullet or a casing and determine whether or
11 not it is consistent with being fired from a
12 specific weapon?

13 A. Yes, in most cases you can do that, yes.

14 Q. Let's talk, first of all, about what
15 happens to a projectile, a bullet, as it passes
16 through the muzzle of a gun and is discharged.

17 A. May I use my model?

18 Q. Yes, please do.

19 A. This model represents a cartridge. Some
20 people call it the bullet, but that's the incorrect
21 term. It's actually a cartridge, and it consists of
22 four parts. There's the primer here on the bottom.
23 There's the cartridge case, which is this portion
24 (indicating), the bullet on this end (indicating),
25 and inside is the powder, the propellant. Okay?

1 So as the cartridges is loaded in the
2 chamber -- I'm speaking of semiautomatic firearms at
3 this point. There are several different types of
4 firearms, but we'll stick with the semiautomatic for
5 this part. The cartridge is loaded into the chamber
6 from the magazine typically, sitting inside the
7 chamber; and as you pull the trigger, the firing pin
8 will be released and strike the primer here on the
9 bottom.

10 Inside this primer is an impact
11 sensitive explosive, and on the cartridge case
12 there's a little flash hole on the inside so the
13 flame from the primer is going to ignite your powder
14 causing a lot of gas and pressure to build up which
15 is going to propel the bullet down the barrel of the
16 firearm.

17 As the bullet goes down the barrel of
18 the firearm, the barrel -- it's typically called
19 rifling. It -- if you think of a football player
20 throwing a pass, a spiral pass, it gives it a
21 trajectory. Well, that's what the barrel does. It
22 causes the bullet to twist as it goes down the
23 barrel. So it's better accuracy, better trajectory.

24 But in doing that, the barrel has these
25 raised and lowered portions called lands and

1 grooves, causes these striations on the bullet that
2 you can see here, the lands and grooves impressions
3 as it goes down the barrel.

4 Q. Those lands and grooves that are on the
5 interior of the barrel, how do those get there?

6 A. Through the manufacturing process of the
7 firearm.

8 Q. And let's say -- let's say we're talking
9 about a .9 millimeter Glock. Is every .9 millimeter
10 Glock going to have the same land and groove
11 markings?

12 A. What they might have is the same number
13 of lands and groove markings. They might have the
14 same direction of twist, which is going to tell the
15 bullet to go to the left or to the right; but the
16 individuality would be different.

17 Q. Okay. And I guess that's -- that's the
18 point that -- that I want to make is that while all
19 .9 millimeter Glocks may have consistent land and
20 groove characteristics, the specific land and
21 grooves are individual to the weapon?

22 A. Yes, that's correct.

23 Q. Okay. And so the pattern inside the
24 barrel of the firearm is then transferred to the
25 projectile?

1 A. That is correct.

2 Q. Okay. When you receive a firearm into
3 the lab, explain to the jury how you go about first
4 just testing its functionality.

5 A. Okay. After it's gone through what we
6 call a log-in process where our evidence is engraved
7 and documented, it will come to me as part of my
8 casework. The first thing I do is I check the
9 firearm, make sure it's clear, so just double-check,
10 safety first.

11 Then I will go through and check the
12 barrel to see if there's any dirt or dirt material
13 in the barrel, anything blocking the barrel. This
14 is another safety check.

15 We will then check the safety, the
16 actual safety on the firearm, make sure they're
17 functioning. After that we'll check the trigger
18 pull, which is testing how much force is required
19 rearward to pull the trigger. It's measured in
20 pounds. We will --

21 Q. And let interrupt you for just a moment.

22 A. Sure.

23 Q. Can you explain to them specifically how
24 you test for trigger pull?

25 A. Uh-huh. Sure. You can think of, okay,

1 if I hold the firearm in a vertical position and I'm
2 going to hang a hook off of the trigger. Let's say
3 that we hang a bag of sugar off of that. Sugar
4 typically comes in 4 to 5-pound bags. So we can
5 measure that in weight off that trigger. I think
6 some trigger pulls vary. You can have as light as 1
7 pound, as much as 20 pounds. So all we do is we
8 have a spring scale. We pull the trigger, and it
9 measures and tell us how many pounds of pressure are
10 required to pull that trigger rearward to discharge
11 the firearm.

12 Q. All right. Once you make sure that the
13 weapon is safe for testing and you have established
14 the trigger pull of the weapon, what do you do to
15 determine whether or not the gun is actually
16 functioning properly?

17 A. We'll test fire the firearm.

18 Q. And how do you do that?

19 A. We have the option of using evidence
20 ammunition that comes in the case or we also have a
21 large supply of stock ammunition. And it's examiner
22 discretion as to what we use. We like to compare
23 apples to apples so we want to use as much similar
24 ammunition as we can to whatever came in the case.

25 Q. And how do you go about test firing?

1 A. We have a shooting room and a water
2 tank; and then we will load the magazine into the
3 firearm, which is pointed into the water tank for
4 safety. We say "preparing to fire," "ready to
5 fire," "firing," and we pull the trigger. We fire
6 the firearm typically three times each set of test
7 fires.

8 Q. And so each time you test fire the
9 weapon you are getting not only a casing but do you
10 also have the projectile that is fired into the
11 tank?

12 A. Yes, we do. We collect the cartridge
13 casings and the bullets.

14 MS. MAGNESS: May I approach the
15 witness, Judge?

16 THE COURT: Yes, ma'am.

17 Q. (By Ms. Magness) All right. I'm going
18 to show you what's already been admitted into
19 evidence as State's Exhibit No. 40 -- it's a .9
20 millimeter firearm -- State's Exhibit No. 42, which
21 is live ammunition; and then State's Exhibits No. 31
22 and 32.

23 Let's start, first of all, with State's
24 Exhibit No. 40. Did you receive that weapon in the
25 laboratory and did you perform testing on it?

1 A. May I pick it up?

2 Q. Yes, of course.

3 A. Yes, I did receive this.

4 Q. And will you describe for the jury what
5 type of weapon that is, please?

6 A. This is a .9 millimeter Luger Jimenez
7 Model JA9 pistol.

8 Q. And with reference to the report that
9 you generated, your laboratory report, did you
10 document that serial number in your laboratory
11 report?

12 A. Yes, I did.

13 Q. And for purposes of reference, what item
14 number did you give State's Exhibit No. 40?

15 A. We called this Item 2.

16 MS. MAGNESS: May I approach,
17 Judge?

18 THE COURT: You may.

19 Q. (By Ms. Magness) I'm going to show you
20 also State's Exhibit No. 53. Can you identify that
21 item?

22 A. Yes, I can.

23 Q. And what is it?

24 A. It is a copy of my laboratory report.

25 Q. And is it an exact duplicate of the

1 original?

2 A. With the exception of missing signatures
3 on the back page, yes.

4 Q. And were the entries made by you?

5 A. I'm sorry?

6 Q. The entries on the report, were they
7 made either by you or someone with personal
8 knowledge?

9 A. Yes.

10 Q. And were those entries made at or near
11 the time of the events that they were recording?

12 A. Yes.

13 Q. And the laboratory report, is it kept in
14 the normal course of business of the Houston Police
15 Department Crime Laboratory?

16 A. Yes.

17 Q. And does this report document your
18 findings, specifically your analysis of State's
19 Exhibit No. 40?

20 A. Yes.

21 MS. MAGNESS: Tendering State's
22 Exhibit 53 to opposing counsel.

23 (State's Exhibit No. 53, Lab
24 Report, offered.)

25 MR. CORNELIUS: No objection,

1 Judge. I've seen it.

2 THE COURT: No objection, 53 is
3 admitted.

4 (State's Exhibit No. 53 admitted.)

5 MS. MAGNESS: May I offer --
6 publish it, Judge?

7 THE COURT: Yes, ma'am.

8 Q. (By Ms. Magness) Okay. Your report
9 lists other firearms that you tested, but what I
10 want to do is focus in specifically on Item No. 2,
11 which is this .9 millimeter Luger.

12 When you received that weapon in the
13 lab, did you also receive ammunition for that
14 weapon?

15 A. We did, yes.

16 Q. And when you test fired the Luger, did
17 you use the ammunition that you received or did you
18 use stock ammunition that you had in the lab?

19 A. I used evidence cartridges from another
20 item in the case.

21 Q. Okay. Now, I want you to take a look at
22 Item No. -- sorry -- Exhibits No. 31 and 32. Do you
23 recognize those items?

24 A. Yes, I do.

25 Q. And what are those items?

1 A. These are actually fired .9 millimeter
2 Luger cartridge cases.

3 Q. Now, in your report what item number did
4 you give those two casings?

5 A. These are Items 1.1 and 1.2.

6 Q. And Items 1.1 and 1.2 correspond with
7 State's Exhibit 31 and 32, correct?

8 A. Yes, that is correct.

9 Q. Now, below that there is indicated in
10 your report that you received one core fragment that
11 has a medical/legal number associated and another
12 fragment that has the same medical/legal number
13 associated with it. Were those two items suitable
14 for testing?

15 A. No, they were not.

16 Q. And can you explain to the jury why they
17 are unsuitable for testing, why you can't use those
18 items to draw any conclusions?

19 A. One item is a core, which is the inside
20 of the bullet without the jacket on the outside, and
21 the other one was just a fragment. And they didn't
22 have those land and groove markings that we were
23 talking about earlier on them, so there's nothing to
24 compare.

25 Q. Okay. So in -- I guess in laymen's

1 terms those two items were at one time part of a
2 bullet, correct?

3 A. Yes.

4 Q. And either from being fired or whatever
5 damage or defects were caused from the item they
6 struck or the person that they struck, they -- so
7 much damage had been caused to them that you just
8 can't use them for comparison purposes?

9 A. That is correct. Because of the damage,
10 there's nothing I could really look at on it.

11 Q. Okay. Now, those two cartridge casings,
12 you've already done test fires using the .9
13 millimeter Luger. So you have known casings fired
14 from that .9 millimeter, correct?

15 A. That is correct.

16 Q. Now, did you then take State's Exhibit
17 31 and State's Exhibit No. 32 and compare those
18 items to your known test fires?

19 A. Yes, I did.

20 Q. And tell the jury how you go about
21 making that comparison.

22 A. The first thing I'll do is I'll look at
23 my test fires. I want to look for consistency in
24 the markings. Like I said, I shot three, three
25 cartridges, so we have three cartridge cases to look

1 at that that we fired. So I established the
2 consistency and then I brought in the evidence
3 items, and I looked at those and compared those to
4 my test fires.

5 Q. And is that a microscopic examination
6 or --

7 A. Yes, it is. We use what's called a
8 comparison microscope. In a nutshell it's two
9 microscopes put together with an optical bridge. To
10 give you a better idea, there's two stages where you
11 put your evidence and I can look at those items at
12 the same time through the one set of binoculars at
13 the top.

14 Q. Okay. So you can look at your known
15 test fire right up next to your evidence shell
16 casing and make comparisons between the two?

17 A. That is correct.

18 Q. Okay. Did you perform that task with
19 State's Exhibit No. 31?

20 A. Yes. Yes, I did.

21 Q. And did you perform that same task, that
22 same analysis with State's Exhibit No. 32?

23 A. Yes, I did.

24 Q. Based on your testing, training and
25 experience, do you have an opinion as to whether or

1 not State's Exhibit 31 was fired out of that .9
2 millimeter Luger, State's Exhibit 40?

3 A. In my opinion, State's Exhibit 31 was
4 fired in State's Exhibit 40.

5 Q. And with respect to State's Exhibit
6 No. 32, do you have an opinion as to whether that
7 casing was fired out of that same gun?

8 A. In my opinion it was also fired out of
9 State's Exhibit 40.

10 Q. And when you tested the trigger pull of
11 that Luger, how many pounds of pressure does it take
12 to fire that weapon?

13 A. I measured it at 8 to 9 pounds of
14 pressure.

15 Q. So each time that weapon is discharged,
16 it is taking a minimum of 8 pounds to cause the
17 discharge?

18 A. Yes.

19 Q. And in your examination of State's
20 Exhibit 40, did you find any evidence that would
21 indicate that that gun would misfire, would
22 malfunction, anything that would indicate that it
23 would go off unexpectedly?

24 A. During my testing, it functioned as
25 expected. Nothing unusual.

1 Q. And I guess my last question is with
2 respect to getting a bullet into the chamber of that
3 weapon, if I have a loaded magazine, I have
4 ammunition in it, but I don't have a bullet or a
5 projectile in the chamber, how -- what -- what --
6 how would I rack that gun?

7 A. Okay. First of all, we call it a
8 cartridge. The bullet is just this part on the end,
9 so we're all speaking the same --

10 Q. Yes. Thank you.

11 A. -- same language here.

12 So your magazine is loaded with at least
13 one cartridge. You would insert the magazine into
14 the magazine well. I'm sorry. I'll show you
15 through this plastic bag here.

16 Q. You know, let me -- I can take that out.
17 I think it will be easier for you to demonstrate.

18 (Deputy clearing weapon.)

19 THE BAILIFF: It's clear, Judge.

20 THE COURT: Thank you.

21 Q. (By Ms. Magness) There you go.

22 A. Thank you.

23 All right. So you have a magazine
24 that's already loaded with at least one cartridge.
25 The magazine can be inserted into the magazine well,

1 which is right here (indicating). And I have the
2 slide open at this point. There is the option of
3 the slide also being closed at the same time. So
4 the magazine is there. We'll see the slide is
5 closed. To load that cartridge into the chamber,
6 the slide needs to be racked as you go back and then
7 forward (demonstrating).

8 Q. Okay. Now, will you perform that action
9 for me one more time, please?

10 A. (Witness complied.) Just pull the slide
11 back and then drop it forward (demonstrating).

12 Q. Okay. The sound that is associated with
13 that action, that's an audible sound, correct?

14 A. Yes.

15 Q. You hear that slide metal on metal and
16 sort of that snapping back in place?

17 A. Yes.

18 Q. And once that action is performed, then
19 a cartridge is kicked into the barrel of the weapon?

20 A. The chamber.

21 Q. The chamber. Thank you. The chamber
22 and is ready for firing?

23 A. It is ready, yes.

24 MS. MAGNESS: I'll pass this
25 witness.

1 THE COURT: Mr. Cornelius?

2 **CROSS-EXAMINATION**

3 **BY MR. CORNELIUS:**

4 Q. Ms. Zeller, my name is Skip Cornelius.
5 We've never discussed this case before, have we?

6 A. No, we have not.

7 Q. All right. A few questions for you.

8 MR. CORNELIUS: Judge, can I stand
9 up to demonstrate a couple of things?

10 THE COURT: Yes.

11 Q. (By Mr. Cornelius) If I'm firing this
12 weapon, because it's a semiautomatic weapon -- I
13 don't want to point the gun at anybody -- the shell
14 casings are ejected through this port right here
15 (indicating), correct?

16 A. The fired cartridge cases, yes.

17 Q. The casings alone are -- the fired
18 cartridge casings eject on this gun to the right?

19 A. Yes.

20 Q. Okay. So if I'm -- let's say this is a
21 car right here (indicating) and I'm on the driver's
22 side of the car and I'm firing at this car. The
23 shell case is going to eject to the right?

24 A. They would eject whatever direction
25 you're holding the chamber. Assuming you're holding

1 the gun perpendicular --

2 Q. Yeah, I guess I could hold it this way
3 (demonstrating) or if I'm holding it straight up,
4 firing it, what -- I'm making a car right here. The
5 shell cases, if it's operating properly, will eject
6 to the right?

7 A. They typically go up; and depending on
8 environmental conditions of weather and whatnot,
9 yeah, they would typically go up and kind of arc
10 over to the right, yes.

11 Q. They intentionally manufacture them so
12 they won't go right into your face, right?

13 A. Well, yes, they probably wouldn't do
14 that.

15 Q. So, if I'm firing this gun at my
16 hypothetical car right here, the shell cases might
17 not all eject exactly the same distance or roll the
18 same way or bounce the same way when they hit; but
19 they're going to be in relatively the same spot?

20 A. I would say barring any unusual
21 conditions with ammunition and holding of the
22 firearm, typically they would eject in the similar
23 direction.

24 Q. Okay. If I'm putting myself close to
25 the vehicle -- let's say the vehicle is much further

1 away when I fire -- what is a ricochet?

2 A. It occurs when a projectile or bullet, I
3 suppose in this case, strikes something and then
4 perhaps bounces off in another direction.

5 Q. If a .9 millimeter projectile or bullet,
6 as you are calling it, hits concrete, it might
7 ricochet, correct?

8 A. It's possible.

9 Q. Okay. How far will one of these .9
10 millimeters shoot?

11 A. Honestly I don't know.

12 Q. Nothing, say, like a mile?

13 A. You're really getting out of my area of
14 expertise.

15 Q. All right. The fragments that you have
16 up there, those -- when it says medical/legal, that
17 means in all likelihood they came from the autopsy,
18 correct?

19 A. I don't think I have the fragments up
20 here.

21 Q. Oh, you don't --

22 MS. MAGNESS: She does not have the
23 fragments.

24 Q. (By Mr. Cornelius) Well -- all right.
25 Well, the ones referred to in your report, because

1 they have that medical/legal number, the medical
2 examiner assigns those numbers and it's part of the
3 autopsy report, or do you know that?

4 A. I don't specifically. They come to us
5 with that number on them.

6 Q. All right. Would you expect, if you
7 know this, that a bullet, if it went through a car
8 window and maybe through a seat or went through some
9 things and then hit a human being, that the bullet
10 might fragment?

11 A. It is possible.

12 Q. And that's the reason you can't really
13 examine those fragments to determine whether there
14 are lands and grooves on them to try to compare to
15 the barrel of this gun or any gun because they're
16 just fragments, right?

17 A. They didn't have the characteristics
18 that I needed.

19 Q. The bullets that came with the evidence
20 that you got, were they jacketed?

21 A. You mean, the unfired cartridges or the
22 bullet?

23 Q. The cartridges, yes.

24 A. I have Item -- what I call Item 3 in
25 front of me, which is State's Exhibit 42, and these

1 are jacketed, yes.

2 Q. What does that mean?

3 A. Use my model.

4 So I'll go back to our model of a
5 bullet. Jacketed bullets typically have a core,
6 which is on the inside, generally lead-based core;
7 and on the outside it will have some sort of
8 brass-type jacket. There are different variations
9 depending on the manufacturer of how much brass
10 versus copper versus nickel that is in the composite
11 of that jacket, but it's wrapped around what's the
12 core that's on the inside.

13 Q. And did you receive any jacket
14 fragments?

15 A. The fragment that I received could not
16 be determined was specifically from a bullet. There
17 was no indication of having lands and grooves, so I
18 really can't say that it was a jacket from a bullet.

19 Q. Okay. What does the jacket -- the
20 jackets that you have there, what are they made out
21 of, if you know?

22 A. These here --

23 Q. Yes.

24 A. -- on the unfired cartridges?

25 Q. Yes.

1 A. They look to be some sort of brass
2 jacket, typical --

3 Q. Copper?

4 A. Copper. Copper/nickel mix is brass, I
5 believe.

6 Q. All right. The actual projectile under
7 the jacket, what is it made out of?

8 A. Typically it's made out of some sort of
9 lead.

10 Q. Okay. And you can't tell if the
11 fragments that you got were copper or lead?

12 A. I would like to look at them again if
13 possible. Maybe I could tell, but there is no exam
14 information I can gain from them. So we just call
15 them a fragment. We don't do the copper testing to
16 verify that it is copper or anything like that.

17 Q. Okay. So they might be jackets. They
18 might be the lead part. You don't know?

19 A. No, I don't.

20 Q. Okay. Now, in terms of that's an
21 automatic pistol or handgun, I guess I should say,
22 how fast can you fire it?

23 A. I would say as fast as you can pull the
24 trigger.

25 Q. Could you fire, say, three rounds in one

1 second?

2 A. That would be very fast. I'm not sure
3 how quick the cycle rate is with this firearm, but
4 the firearm would have the function and cycle -- we
5 said three times, fire it three times in one second?
6 That would -- that would be very fast.

7 Q. Well, if we measured the seconds, it's
8 like, you know, the basketball refs do it when
9 they're measuring the three-second rule. You know
10 that?

11 A. No, I'm sorry.

12 Q. 1,001, 1,002, 1,003. So between 1,001
13 and 1,002, could you hear pop, pop pop?

14 A. It's possible. I mean, that wasn't part
15 of my testing, so I can't really say for sure.

16 Q. Okay. Let's go to two seconds, then.
17 Do you think there would be any problem in firing
18 three rounds in two seconds?

19 A. Again, without actually testing that
20 myself, it's really hard for me to say with that
21 particular firearm.

22 Q. Okay. But it could be fired pretty
23 fast. I can't pin you down on seconds, but it can
24 be fired pretty much as fast as you can pull the
25 trigger. Is that what you're saying?

1 A. As fast as you can pull the trigger and
2 allow the firearm to cycle properly.

3 Q. Okay. Now --

4 MR. CORNELIUS: May I again stand
5 up, Judge, for demonstration?

6 THE COURT: Yes, sir.

7 Q. (By Mr. Cornelius) As you fire a
8 semiautomatic handgun like this, or really any
9 handgun for that matter, if I'm -- let me stand over
10 here like I'm shooting towards my imaginary car. If
11 I fire this gun, what force do I feel and what
12 effect does that force have on my ability to hold
13 the gun steady?

14 A. You're going to feel a recoil of the
15 firearm cycling because as you're -- the force
16 pushes the bullet forward it's also pushing back on
17 your hand, on you, equal and opposite forces.

18 Q. Which way does that make your hand go?

19 A. Probably depends on the shooter's
20 experience and ability. I mean, some people are
21 very steady and some people aren't. Most of the
22 time I would say probably more of a vertical.

23 Q. Up?

24 A. Uh-huh.

25 Q. So that would be the first shot, I mean,

1 in my example that I'm talking about. If you fired
2 it a second time, would you have the same impulse --
3 or impulse is probably not the right word. But --

4 A. You would feel the same force.

5 MS. MAGNESS: I'm going to object,
6 Judge, because I think it's far too speculative
7 to -- we don't have a specific shooter. We don't
8 have a training level. We don't have an experience
9 level. We don't know how the weapon is held. All
10 we know is that there is recoil to the gun.

11 THE COURT: Sustained.

12 Q. (By Mr. Cornelius) Let me ask it this
13 way: Do you have the same recoil every time you
14 fire the gun?

15 A. If you were using the same ammunition
16 from the same lot, ammunition was exactly the same,
17 you would probably feel the same type of recoil with
18 every shot, yes.

19 Q. Okay. Somebody really experienced like
20 a police officer who has to show proficiency with
21 their weapon every -- how often?

22 A. I'm not an officer. I don't know.

23 Q. You don't know. You don't know how
24 often they have to qualify?

25 A. I'm guessing -- I think it's once a

1 year, but I really don't know for sure.

2 Q. That person might have the ability to
3 hold steadier than somebody that's not a trained
4 police officer?

5 MS. MAGNESS: Again, I'm going to
6 object to speculation.

7 THE COURT: Sustained.

8 Q. Okay. Well -- all right.

9 MR. CORNELIUS: Pass the witness,
10 Judge.

11 THE COURT: Ms. Magness?

12 MS. MAGNESS: No further questions.

13 THE COURT: May this witness be
14 excused?

15 MS. MAGNESS: Yes, sir.

16 THE COURT: All right.

17 Mr. Cornelius?

18 MR. CORNELIUS: Yes.

19 THE COURT: You may step down.
20 You're free to go. Thank you, ma'am.

21 THE WITNESS: Thank you.

22 THE COURT: Call your next witness.

23 MS. MAGNESS: Judge, may I step to
24 the witness room? He was traveling from out of
25 town, and we started before I could see if he was